

1 IN THE DISTRICT COURT OF WYANDOTTE COUNTY, KANSAS  
2 IN THE TWENTY-NINTH JUDICIAL DISTRICT  
3 CIVIL DEPARTMENT

3 FAITH RIVERA, et al., )  
4 )  
4 Plaintiffs,) )  
5 vs. ) Case No. 2022-CV-89  
6 SCOTT SCHWAB, et al., )  
7 )  
7 Defendants.)

8 TRANSCRIPT OF BENCH TRIAL

9 VOLUME 2

10 PROCEEDINGS had before the HONORABLE BILL  
11 KLAPPER, Judge of Division 6 of the District Court  
12 of Wyandotte County, Kansas, at Kansas City, Kansas,  
13 on the 6th day of April, 2022.

14 APPEARANCES:

15 The plaintiffs, FAITH RIVERA, ET AL., appeared in  
16 person and by BARRY R. GRISSOM, Attorney at Law,  
17 GRISSOM MILLER LAW FIRM LLC, 1600 Genessee Street,  
18 Suite 460, Kansas City, MO 64102.

19 ABHA KHANNA AND JONATHAN P. HAWLEY, Attorneys at  
20 Law, Elias Law Group, LLP, 1700 Seventh Avenue,  
21 Suite 2100, Seattle WA 98101.

22 LALITHA D. MADDURI, HENRY J. BREWSTER, SPENCER W.  
23 KLEIN, AND JOSEPH N. POSIMATO, Attorneys at Law,  
24 Elias Law Group, LLP, 10 G Street NE, Suite 600,  
25 Washington, DC 20002.

1 APPEARANCES CONTINUED:

2 AND

3 The plaintiffs, THOMAS ALONZO, et al., appeared  
4 in person and by SHARON BRETT, JOSH PIERSON, KAYLA  
5 DELOACH, American Civil Liberties Union Foundation  
6 of Kansas, 6701 West 64th Street, Suite 210,  
7 Overland Park, KS 66202.

8 AND

9 MARK P. GABER, KEVIN HANCOCK, SAM HORAN,  
10 CHRISTOPHER LAMAR, AND ORION DE NEVERS, Attorneys at  
11 Law, Campaign Legal Center, 1101 14th Street, NW,  
12 Suite 400, Washington, D.C. 20005.

13 ELISABETH S. THEODORE, R. STANTON JONES, JOHN A.  
14 FREEMAN, Attorneys at Law, Arnold & Porter Kaye  
15 Scholer, LLP, 601 Massachusetts Avenue, NW,  
16 Washington, D.C., 20001.

17 AND

18 RICK REHORN, Attorney at Law, Tomasic & Rehorn,  
19 P.O. Box 171855, Kansas City, KS 66117-0855.

20 The plaintiffs, SUSAN FRICK, et al., appeared in  
21 person and by MARK P. JOHNSON, STEPHEN R.  
22 MCALLISTER, AND CURTIS E. WOODS, Attorneys at Law,  
23 Dentons US LLP, 4520 Main Street, Suite 1100, Kansas  
24 City, MO 64111-7700.

25

1 APPEARANCES CONTINUED:

2 The defendants, SCOTT SCHWAB AND MICHAEL ABBOTT,  
3 appeared in person and by ANTHONY F. RUPP, Attorney  
4 at Law, Foulston Siefkin, LLP, 32 Corporate Woods,  
5 9225 Indian Creek Parkway #600, Overland Park, KS  
6 66210-2000.

7 AND

8 GARY AYERS AND CLAYTON KAISER, Attorneys at Law,  
9 1551 N. Waterfront Parkway, Suite 100, Wichita, KS  
10 67206-4466.

11 AND

12 OFFICE OF ATTORNEY GENERAL DEREK SCHMIDT  
13 BRANT M. LAUE, SHANNON GRAMMEL, KURTIS WIARD, DWIGHT  
14 CARSWELL, Memorial Building, 2nd Floor, 120 SW 10th  
15 Avenue, Topeka, KS 66612-1567.

16

17

18

19

20

21

22

23

24

25

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

**INDEX**

**PAGE**

Motion argument by Mr. Rupp..... 5

Motion argument by Mr. Jones..... 14

Motion argument by Mr. Johnson..... 19

Court denied motion..... 26

**WITNESSES**

**DEFENDANT'S WITNESS: BRAD LOCKERBIE**

Direct Examination by Mr. Ayres..... 27

Cross-Examination by Mr. Jones..... 47

**DEFENDANT'S WITNESS: ALAN DANIEL MILLER**

Direct Examination by Mr. Ayers..... 85

Cross-Examination by Mr. Freedman.....150

**EXHIBITS**

<b><u>State's Exhibits:</u></b>	<b><u>Offered</u></b>	<b><u>Received</u></b>
Exhibit No. 755	152	153
Exhibit No. 1066-D	175	175

1           THE COURT: We are now on the record in  
2 Alonzo, excuse me, Rivera, and Frick versus Schwab.  
3 The appearances are the same, except Steve has  
4 joined us. Other than that pretty much the same  
5 players are here.

6           Mark made a statement about a witness he  
7 was going to call prior to coming on the record so,  
8 Mark.

9           MR. JOHNSON: Sure. Thank you, Your Honor.  
10 The Frick plaintiffs have decided not to call Darrel  
11 Lea as a witness. He was listed in the witness  
12 list. We will have him testify by declaration and  
13 file that within the next couple days.

14           And I've represented to the defense that  
15 his declaration will not exceed in factual form the  
16 declarations that we've previously filed for two of  
17 our other plaintiffs.

18           MR. RUPP: That's acceptable.

19           THE COURT: Thank you, Tony. All right  
20 then. Any other things we need to take up here  
21 before we get to the defendant's motions? Taking  
22 that as a no. Tony, when you're ready.

23           MR. RUPP: Thank you very much, Your Honor.  
24 I'm here to present a motion for judgment at the  
25 close of the plaintiffs' evidence. As the Court is

1 well-aware and has indulged with us, and we with the  
2 Court on the very unusual nature of this case, which  
3 is the first time in Kansas history somebody has  
4 challenged under the Kansas Constitution a political  
5 or racial gerrymandering case.

6 And this case has involved a suspension of  
7 every rule known to the Kansas Rules of Civil  
8 Procedure, no preliminary, no injunction motion, no  
9 pretrial conference, no definition before the trial  
10 of what the burden of proof would be.

11 Mr. Laue argued a motion to dismiss before  
12 the trial, and it was denied without comment on what  
13 the burden of proof would be in the case; and we  
14 would renew that motion and stand on that motion and  
15 Mr. Laue's arguments as to that portion.

16 What I'm here today or what I will do at  
17 the moment is to address a couple of additional  
18 issues based on where we are in this case at the  
19 close of the plaintiffs' evidence.

20 We have heard from six experts, none of  
21 whom I have identified a standard of care by which  
22 the legislature must act in order to comply with any  
23 sort of Constitutional gerrymandering concepts.

24 Each of them have testified to some version  
25 of their opinion that there's improper racial or

1 political gerrymandering, but they've each  
2 acknowledged that there are no standards by which  
3 they have deemed that or, you know, gauged that  
4 testimony.

5           And, likewise, there has been no  
6 identification in this case and we're now through  
7 the plaintiffs' case as to what the burden of proof  
8 is. What must the plaintiff prove to prove their  
9 case?

10           They did not identify that burden of proof  
11 because they couldn't. It is not because they've  
12 done anything wrong, but because they couldn't in  
13 their opening statement, certainly have not  
14 elucidated that through any testimony of any  
15 experts.

16           So, we are faced with a fundamental element  
17 of a justiciable case that simply does not exist and  
18 that or, two, what is the burden of proof and what  
19 is the standard of care?

20           And in the absence of those -- that  
21 identification the case must fail as a matter of law  
22 and they are here, the plaintiffs, to do the  
23 extraordinary. They are here to ask a single member  
24 of the judicial branch in one of 105 counties to  
25 overturn the collective Legislative judgment of the

1 Kansas Legislature to perform an obligation given to  
2 them under the United States Constitution.

3 Under Kansas Law at the conclusion of the  
4 case the defendants are entitled to come before this  
5 Court and say, here are the element of the case.  
6 The burden of proof, and we believe that the  
7 plaintiff has failed to meet that burden and,  
8 therefore, the case must be dismissed.

9 And, in a case involving experts, the  
10 plaintiffs must come before or we are entitled to  
11 stand before the court and say, the plaintiffs,  
12 there is a following standard of care, and the  
13 plaintiffs have offered the following testimony, and  
14 they did not identify a standard of care, and they  
15 did not identify how that was breached.

16 And I know it's an unusual case, but it's  
17 too late after the plaintiffs' case is over to come  
18 -- to create a standard of care or a burden of  
19 proof.

20 We stand here not knowing what the rules  
21 are as to whether it is unconstitutional or whether  
22 it is Constitutional to divide Johnson County. We  
23 do not know the standard by which that would be  
24 measured. We do not know the element of packing  
25 that is allowed to go into the First District by



1 moving only republican voters into the First  
2 District.

3 We do not know -- we've heard two witnesses  
4 Professor Miller and Professor Collingwood, in  
5 essence, describe that racial and political  
6 gerrymandering or that democrats prefer minority  
7 voters and, therefore, essentially that democratic  
8 districts must be preserved and more or less that  
9 because Kansas only has 40 percent of folks who vote  
10 for democratic candidates that somehow the rules  
11 require or the standard is that you must pack all  
12 the republicans into the First District, but you  
13 cannot make a decision on a map that moves any  
14 democratic cities into or any portions of any  
15 democratic cities into a different district.

16 And there's simply no burden of proof or no  
17 standard of care that has been or can be identified  
18 for that standard.

19 In any other case that would come before  
20 this Court, you would have jury instructions. Take  
21 contract, for example. The essential elements of an  
22 action based on a contract are, one, the existence  
23 of a contract between the parties; two, sufficient  
24 consideration to support the contract; three, the  
25 plaintiffs performance or willingness to perform in

1 compliance with the contract; four, the defendants  
2 breach of the contract; and, five, the damage to the  
3 plaintiff caused by the breach.

4 We have tried this case without anyone  
5 knowing what the elements of the case are and,  
6 accordingly, it is an impossibility for the  
7 plaintiffs to have to prove their case without  
8 knowing what the elements of that case are.

9 And it's seems to me to be Constitutionally  
10 impermissible to overturn the will of the Kansas  
11 Legislature without knowing what the standards are  
12 and to kind of divine them after the case has been  
13 submitted to the Court.

14 Expert witnesses are supposed to help the  
15 Court or help the fact-finder to determine whether a  
16 standard of care has been breached measured against  
17 a known standard and each witness said they didn't  
18 have a standard.

19 And what we have heard, for example, is  
20 that Professor Chen has run this algorithm that he  
21 created, and he's found that this map is an outlier  
22 on his algorithm.

23 That can't possibly be the standard of care  
24 under -- to set aside the decisions of the Kansas  
25 Legislature.

1           Professor Smith acknowledged with regard to  
2 the guidelines that there are no acceptable  
3 quantitative guidelines for virtually every element  
4 of the Kansas guidelines upon which the plaintiffs'  
5 case seems to be based.

6           Nobody has measured how you quantitatively  
7 determine an acceptable social community of interest  
8 and nobody has determined what is an acceptable  
9 cultural community of interest and nobody has  
10 defined under the guidelines how you determine when  
11 there is tension between those guidelines.

12           Ans that's exactly why the United States  
13 Supreme Court has said Courts ought not to place  
14 themselves into this political thicket.

15           There are no standards by which any of this  
16 can be decided, and it surely cannot be that one  
17 branch of the government, the judicial branch, can  
18 second guess or collaterally attack another branch  
19 of the government by bringing in or by having  
20 testimony that was not before the Legislature and is  
21 heard for the first time in a different courtroom.

22           None of these six experts were before the  
23 Legislature. The Legislature had no opportunity to  
24 consider them.

25           How can it be that one branch of the

1 government can overturn the Legislative discretion  
2 that is specifically given to the Legislative  
3 branch?

4 And so, we are sitting here at the  
5 conclusion of the case with evidence that never was  
6 before the Legislature that was with no burden of  
7 proof standard, no standard of care standard, no  
8 guidance in the Kansas Constitution, which is silent  
9 on all of these issues, and it surely cannot turn on  
10 algorithms written by plaintiffs' experts.

11 It surely can't turn on collateral attack  
12 of judgments. We would never allow a collateral  
13 attack of a judicial decision without, you know,  
14 that was not based on the judicial record but was  
15 rather, in fact, based on a bunch of people coming  
16 in and testifying all the things the judge did  
17 wrong.

18 And I don't think that it's appropriate  
19 under Kansas Law to allow this sort of a collateral  
20 attack on the judgment of the Legislature.

21 We have no guidance on what constitutes  
22 racial gerrymandering in Kansas. We've heard that  
23 the Voting Rights Act certainly is not at issue, and  
24 I agree with that.

25 The Voting Rights Act is a Federal Statute,

1 not part of Kansas Law, but there is no Kansas Law  
2 with regard to racial gerrymandering, and none has  
3 been identified.

4 And it is at this point the reason why  
5 there's no justiciability of these claims in Kansas.  
6 And these claims cannot stand.

7 Finally, I would note, Your Honor, that the  
8 extent in the Third District that anybody has been  
9 able to establish is that this district is a little  
10 more republican, in terms of partisan  
11 gerrymandering, is a little more republican than it  
12 once was.

13 It can't be the law that no district can be  
14 a little more republican than it once was or I mean  
15 that that's a Constitutional violation. That simply  
16 can't be the law.

17 And the consequence, Your Honor, of keeping  
18 the -- because it is a jigsaw puzzle as Professor  
19 Smith stated -- the consequence because every act of  
20 moving a county moves another county or changes  
21 something else.

22 It can't be the law that every -- that you  
23 can -- that the First District has to be sacrificed  
24 for democratic voters and only republicans can move  
25 into the First District so that we can preserve the

1 Third District and the Second District in a way that  
2 the plaintiffs would prefer. That cannot be the  
3 law.

4 And, Your Honor, with that I will stand on  
5 my arguments and the motion that Mr. Laue filed  
6 beforehand and that you did deny that I would renew  
7 that motion at this point in time based on the  
8 evidence that we've heard introduced in this case.  
9 Thank you very much.

10 THE COURT: Thank you, Tony.

11 MR. JONES: Your Honor, Stanton Jones for  
12 the plaintiffs. We made our affirmative points  
13 about our claims and the Kansas case law supporting  
14 them in our written opposition to the defendant's  
15 earlier motion to dismiss; the threshold motion to  
16 dismiss and at the prior argument before the Court  
17 on that motion to dismiss.

18 The motion to dismiss was, of course,  
19 denied. The Court at that time, I believe, said on  
20 the record that we had identified appropriate  
21 standards for our claims, and so we just rely on all  
22 of the prior arguments in the written opposition and  
23 that we made at the previous argument.

24 I want to respond to a few of the specific  
25 arguments put forward by the defendants during the

1 trial during our evidence and also in the argument  
2 just now, and there's a common theme running through  
3 their argument, and the common theme is that there  
4 are no rules.

5 They say there can't be any limitation at  
6 all on partisan gerrymandering no matter how  
7 extreme, because there is no one bright line rule  
8 that everyone in the world agrees on.

9 They say that the guidelines, the written  
10 guidelines that were used in both chambers of the  
11 Legislature to draw and try to justify this map  
12 were, essentially, meaningless, because they're not  
13 in the Kansas Constitution or a statute.

14 They say that the efficiency gap is  
15 well-recognized standard used to evaluate  
16 gerrymandering by political scientists and courts  
17 alike. It doesn't work in Kansas because some one  
18 has raised a question about it.

19 They say that there's no way to measure the  
20 partisanship of districts or predict likely  
21 electoral outcomes, essentially, because no one has  
22 a crystal ball.

23 They even go so far as to say that there's  
24 no way in Kansas to measure racially polarized  
25 voting.

1           They say that the ecological inference  
2 methodology that is universally recognized as the  
3 gold standard by American political scientists and  
4 courts to measure racial polarization in elections  
5 isn't good enough for Kansas.

6           To hear the defendant's tell is there are  
7 no rules for redistricting in Kansas at all. The  
8 Legislature can do anything it wants, and the Kansas  
9 Courts and the Kansas Constitution have nothing to  
10 say about it.

11           They say they don't know what the rules  
12 are, but the reality is they just don't think there  
13 are any rules. They say that if a particular claim  
14 or case hasn't been litigated before, it can't be  
15 litigated ever, but that's not how the law works.

16           Kansas Courts are perfectly capable, like  
17 courts in other states around the country, are  
18 perfectly capable of doing what we've asked this  
19 court to do, which is to hear all the evidence, make  
20 the factual findings and adopt the standards to  
21 protect the Constitutional rights of Kansas voters.

22           And the courts can do this, and the courts  
23 have to do this, because in this case four  
24 democratic principles hang in the balance, and  
25 racial justice for minority voters in Wyandotte



1 County hang in the balance.

2 The Kansas Constitution secures these  
3 democratic principles and protects against racial  
4 vote dilution, and as we explained in our written  
5 motion to dismiss opposition and at the prior  
6 argument the Kansas Courts have both the power and  
7 the duty to say so.

8 We have proved our claims by overwhelming  
9 evidence. This is not -- it's not a complicated  
10 case, and it's not a close case factually.

11 Anyone who has sat through this trial knows  
12 that this map cracks Wyandotte County's democratic  
13 and minority voters between District 2 and 3 to  
14 ensure that they will not comprise a majority in  
15 either.

16 And the map, then, surgically carves the  
17 City of Lawrence democratic voters out of the Second  
18 District and places them in The Big First with  
19 Kansas counties bordering Colorado that they have  
20 absolutely nothing in common with other than we're  
21 all in Kansas.

22 That is obvious, and I think it is fair to  
23 say that anyone who has sat through the evidence  
24 understands that that's what this map is. It's  
25 what this map does. Our experts showed it by a host

1 of reliable mathematical and statistical measures.

2 The map was drawn intentionally and it will  
3 have the effect of maximizing republican advantage,  
4 maximizing the likelihood that there will be  
5 consistently four republican Congress people  
6 representing Kansas's four Congressional Districts  
7 and intentionally and effectively diluting the votes  
8 of both Kansas democrats and also racial minority  
9 voters.

10 Mr. Rupp said that this case is  
11 extraordinary; and, in a sense, I suppose that's  
12 true. It's an important case. There's much at  
13 stake, but in another key respect there's nothing  
14 extraordinary about this.

15 There's nothing extraordinary about a court  
16 hearing a Constitutional challenge to a  
17 discriminatory voting law and striking down the law  
18 if the court finds that the law was enacted both  
19 intentionally and effectively to discriminate  
20 against some voters on the basis of their political  
21 beliefs and to discriminate against minority voters.  
22 That is sadly quite ordinary.

23 This is not a collateral attack on the  
24 Legislature. This is a -- we're asking the court to  
25 exercise a basic function of judicial review. Since

1 Marbury v. Madison courts have had the power to  
2 review the validity of acts of the Legislature under  
3 the Constitution, and that's equally true under the  
4 Kansas Constitution.

5 So, we would ask that their motion for a  
6 defense judgment be denied and get to the defense  
7 evidence this afternoon. Thank you, Your Honor.

8 THE COURT: Thank you, Stanton.

9 MR. JOHNSON: Just very briefly. May it  
10 please the Court, just briefly.

11 THE COURT: Mark, you don't have to hurry.  
12 I think you have a separate independent claim on  
13 behalf of the people of Douglas County.

14 MR. JOHNSON: We do. Thank you. We heard  
15 several times from the defense that this just can't  
16 be the law. It can't be the law that you can attack  
17 a Legislative judgment made by the full Legislature.

18 Well, that assumes, as they say, a fact not  
19 in evidence that this was a Legislative judgment  
20 made by the full Legislature.

21 As I said in my opening statement, one of  
22 the tasks we have as lawyers is to tell a story. I  
23 think that story has been told in a compelling and  
24 perhaps overly persuasive fashion.

25 The testimony of Senator Corson showed that

1 the Legislature failed in a basic task and that is  
2 something I tell everyone, all the young lawyers in  
3 my office, honor the process.

4 They created the process. They adopted  
5 guidelines, however they did it. It's not in the  
6 Constitution, yes, but that doesn't matter.

7 They adopted their own rules, and then they  
8 proceeded to ignore them. They dishonored the  
9 process.

10 We hear that they didn't hear from the  
11 expert witnesses that you've had the opportunity to  
12 hear from. I think it's fair to say given what  
13 Senator Corson had to say that they wouldn't have  
14 cared that expert testimony would not are been  
15 considered because, quite candidly, Your Honor, I  
16 think we've shown that they didn't consider any of  
17 the testimony provided by 100 plus witnesses or more  
18 from the listening tour and from witnesses who  
19 testified before the Redistricting Committee after  
20 the Congressional map was introduced as a finished  
21 product.

22 As Mr. Jones ably said, this is not a  
23 collateral attack. This is candidly a direct attack  
24 on an unconstitutional act by the Legislature and  
25 that falls clearly within your purview, clearly

1 within the powers of the judicial branch.

2 The Legislature simply can't be allowed to  
3 act in a completely unfettered fashion, and that is  
4 what I hear the defense arguing here that there are  
5 no rules. There are no limits. There are no  
6 standards by which they must act or more to the  
7 point by which they must follow.

8 So it's not a collateral attack. When one  
9 branch of the government acts unconstitutional, it's  
10 up to the judicial branch to take action itself.

11 That's what we asked you to do when we  
12 filed our case, asked the judicial branch to do, and  
13 my cocounsel, Mr. McAllister so ably argued the  
14 motion to dismiss, which you correctly denied the  
15 law that applies to that hasn't changed in, the last  
16 three days.

17 We think that you should, again, deny the  
18 motion. This would be sort of the equivalent of a  
19 directed verdict at the close of the plaintiffs'  
20 case.

21 We think we have introduced to you -- shown  
22 to you sufficient evidence to prove that the  
23 Legislature acted improperly, improvidently, and  
24 unconstitutionally. Thank you.

25 THE COURT: Thank you, Mark.

1 MR. RUPP: May I have one last comment?

2 THE COURT: Certainly you may, Tony.

3 MR. RUPP: The argument that they have made  
4 is that the Legislature cannot act in an unfettered  
5 manner. What they have not suggested to the court  
6 before the case, during the case, by any standard of  
7 care or elements of the cause of action, which I  
8 didn't hear in response to the motion what the cause  
9 of action elements are or what the standard of care  
10 is, if the Court or if the Legislature cannot act in  
11 an unfettered manner, what is the burden of the  
12 proof to establish how far can the Legislature go?  
13 How clear must the violation be? What is too much?  
14 What is just right?

15 And where -- and in the absence of  
16 standards and elements, the concept that we can  
17 divine the law after the case is tried rather than  
18 before the case is tried is a complete failing of  
19 the justice system and that reflects why this case  
20 should be dismissed and there is no justiciability  
21 of this case. Thank you, Your Honor.

22 THE COURT: Thank you, Tony. Defendants in  
23 this case raise some compelling arguments what is  
24 the standard to be applied? What are the elements  
25 that the court should use in determining whether

1 that standard has been met by the plaintiffs or not?

2 This is a motion to dismiss at the close of  
3 the plaintiffs evidence.

4 The Court has previously ruled on the  
5 motion to dismiss saying that it was not a violation  
6 of the election clause under the U.S. Constitution,  
7 and it wasn't a violation of the Kansas  
8 Constitution, and that the Court's power was not  
9 somehow limited by the fact that the Kansas  
10 Constitution, Article 1, excuse me, Article 1  
11 Section 1 noted that the Legislature is to  
12 specifically set the guidelines for Senate and House  
13 seats.

14 And in a state contest regarding those  
15 districts the Supreme Court is to review it and  
16 since it was silent about what to do on a federal  
17 congressional race, the implication was that the  
18 court should not do it.

19 This court has already dealt with that  
20 issue, and a ruling has been made, and we will not  
21 revisit that anymore than we already have today.

22 So having said that there are issues here  
23 that are difficult, a motion to dismiss at the close  
24 of the plaintiffs' evidence is a routine matter  
25 brought before this court in every case it's ever

1       tried and the standards that are set there are quite  
2       simple, counsel. No one argued them and I assume  
3       that's because everybody knows what they are.

4               The Court is required to view the evidence  
5       in the light most favorable to the plaintiff, is to  
6       draw all reasonable inferences from the evidence,  
7       and to let the case continue.

8               What are the reasonable inferences that the  
9       court could draw from the case that is before it?  
10       There has been lay testimony saying that there will  
11       be a chilling effect at best.

12               It may was a complete absence of some  
13       voters even participating in the process, if the  
14       court allows the Legislature to redistrict the State  
15       of Kansas in the manner in which it has done so.

16               There's proof from the plaintiff that  
17       there's an effect about what has happened.

18               The plaintiffs have then gone further and  
19       brought six independent experts into testify. Some  
20       of that testimony has been overlapping, but it is  
21       exceptionally interesting to note that most of the  
22       experts use different measures to determine whether  
23       or not there had been racial or political partisan  
24       gerrymandering, and under of of the tests applied,  
25       and we can call them E gap or EI or the other



1 measures that were introduced by the court by the  
2 experts.

3 Dr. Rodden said I created two maps of my  
4 own to see how it might work with the Ad Astra 2  
5 map.

6 In each one of those analysis, there was  
7 overwhelming evidence that the Kansas Legislature  
8 engaged in both political and racial gerrymandering  
9 to the point that racial minorities votes would be  
10 diluted.

11 Counsel, this isn't a close call. Putting  
12 aside for a moment that it's a struggle, because  
13 this is new, and it is, and, Tony, your comments as  
14 I started this conversation about so name the  
15 elements and name the standard of care make this a  
16 more difficult case to precisely define.

17 The question before this court is did the  
18 Legislature of Kansas intentionally or  
19 unintentionally violate the Constitution of the  
20 State of Kansas?

21 And, if the ultimate resolution of this  
22 case is that the Kansas Legislature has unlimited  
23 power to redistrict this state in any way that they  
24 want to and the courts have no power to say, that's  
25 unconstitutional, then folks that's not what good

1 government is all about.

2 One would question perhaps why there is  
3 even the need for this type of gerrymandering. Is  
4 one democratic voice in the Legislature one too  
5 many? There have been times when there have been  
6 none. Do we dislike so much that there is a  
7 democrat that is serving in the Legislature or do we  
8 more abhorrently not like the particular democrat  
9 that is serving in the Third District?

10 The Court doesn't resolve that issue today.  
11 The Court simply notes under the standard to be  
12 applied in any motion to dismiss at the close of the  
13 plaintiffs evidence, the plaintiffs have met their  
14 burden. Motion denied.

15 Okay. Tony, ready to go? Gary?

16 MR. RUPP: We are.

17 THE COURT: All right.

18 MR. AYERS: Professor Lockerbie.

19 THE COURT: Very agile, professor, in  
20 getting around that.

21 MR. LOCKERBIE: As you get older, it gets  
22 hard.

23 THE COURT: Get somewhere close here, if  
24 you would stop and raise your right hand, please,  
25 sir.

1                                    BRAD LOCKERBIE,  
2                    called as a witness, having been first  
3                    duly sworn, testified as follows:

4                    THE WITNESS: I do.

5                    THE COURT: And please be careful getting  
6                    up there, because that's a bit of a challenge as  
7                    well.

8                    THE COURT: How do you prefer to be  
9                    addressed, sir?

10                   THE WITNESS: Brad.

11                   THE COURT: Thank you, Brad. I appreciate  
12                   that.

13                   MR. AYERS: May it please the Court.

14                   THE COURT: When you're ready, Gary.

15                                    DIRECT EXAMINATION

16                   BY MR. AYERS:

17 Q. Good afternoon.

18 A. Good afternoon.

19 Q. Professor Lockerbie, can you give us your name, and  
20                    where you work, and what do you do there?

21 A. Brad Lockerbie. I'm a professor of political  
22                    science at East Carolina University.

23 Q. Where is that located?

24 A. That is located in Greenville, North Carolina.

25 Q. Where did you do your undergraduate work?

- 1 A. At the University of Georgia.
- 2 Q. And what degree do you have from the University of  
3 Georgia?
- 4 A. A Bachelor of Arts in political science.
- 5 Q. Do you have any advanced degrees?
- 6 A. Yes, I do.
- 7 Q. And what are those and where did they come from?
- 8 A. I have a PhD in political science from the  
9 University of Iowa.
- 10 Q. Do you teach courses at the university?
- 11 A. Yes, I do.
- 12 Q. And what are some of the courses you teach?
- 13 A. I teach voting behavior and public opinion. I teach  
14 research methods, statistics for political science,  
15 intro to American politics and religion in politics.
- 16 Q. Do you do any teaching regarding the study of public  
17 opinion and political attitudes?
- 18 A. Yes, I do.
- 19 Q. And do you do any teaching regarding the voting  
20 behavior and public opinion?
- 21 A. Most certainly.
- 22 Q. And any teaching on racialized polarized voting?
- 23 A. Yes, I do.
- 24 Q. Have you written in any peer reviewed publications  
25 in your field of study and teaching?

1 A. Yes, I have.

2 MR. AYERS: I see on page 3 of your CV,  
3 which is Exhibit -- you think I can hear you, but I  
4 can't.

5 PARALEGAL: 1058.

6 Q. (By Mr. Ayers) 1058.

7 A. That's Professor Alford's CV.

8 THE COURT: Off the record.

9 (Recess)

10 THE COURT: Back on the record, Rose.

11 MR. AYERS: Jami and I were doing this  
12 about a year ago, and I came to trust her  
13 completely.

14 THE COURT: She seems to have done an  
15 excellent job thus far right up to that point, Gary.

16 MR. AYERS: Page 4, what's that?

17 PARALEGAL: 1060.

18 MR. AYERS: I can't hear you.

19 PARALEGAL: 1060.

20 MR. AYERS: Thank you, everybody.

21 THE WITNESS: You have my sympathy. I have  
22 the hearing aids too.

23 MR. AYERS: Yeah, I know.

24 MR. JONES: Sorry. Could you put it on the  
25 screen as well?

1                   THE COURT: Counsel, while we're taking  
2 care of those logistical issues, I left the  
3 defendant's reports back in my office, and I want to  
4 have them, so give me just a moment please.

5                   (Recess.)

6 Q. (By Mr. Ayers) Professor, have you listed some of  
7 those peer reviewed studies? Do I have the right  
8 page 4?

9 A. That is where some of my listing, yes.

10 Q. Have you participated in redistricting litigation  
11 before?

12 A. Yes, I have.

13 Q. And was that at least the Arkansas NAACP versus the  
14 Arkansas Board of Apportionment, you testified in  
15 that?

16 A. That is correct.

17 Q. Were you qualified as an expert in that case?

18 A. I believe so.

19 Q. And that had to do with race and voting behavior?

20 A. Yes.

21 Q. Opportunity districts?

22 A. Yes.

23 Q. You've also published some books on race and  
24 religion; is that correct?

25 A. Article on race and religion.

1 Q. Okay.

2 A. And race and book from Cambridge University Press.

3 Q. And do you teach gerrymandering in your courses?

4 A. Yes, I do.

5 Q. Do you teach voter dilution?

6 A. Yes, I do.

7 MR. AYERS: So, Your Honor, we would offer  
8 Professor Lockerbie in the fields of racialized  
9 polarized voting, minority majority voter cohesion  
10 or polarization, impermissible gerrymandering, or  
11 whether it be racial or partisan and voter dilution.

12 THE COURT: Plaintiffs, I'm not sure who  
13 I'm looking at for a response? Stanton.

14 MR. JONES: I will be doing this one. Can  
15 I ask Gary to just read the topics again? I was  
16 trying to take them down, but I don't think I got  
17 all of them.

18 MR. AYERS: Racially polarized voting,  
19 minority majority voting cohesion or polarization,  
20 impermissible gerrymandering, whether it be racial  
21 or partisan, and voter dilution. Basically  
22 responding to experts reports.

23 MR. JONES: Yeah. I don't think that  
24 Professor Lockerbie -- while I was prepared to say  
25 no objection to qualifying him in some different

1 topics, I don't believe that Professor Lockerbie has  
2 the expertise in those topics.

3 I can voir dire him or I am happy to just  
4 hold it for cross-examination, which is what I was  
5 planning to do.

6 THE COURT: Preference one way or the  
7 other, Gary? He'd like to voir dire your witness.  
8 And he plans on just doing it in cross-examination,  
9 which seems like a better idea at this point, and  
10 I'm prepared to make a ruling.

11 MR. AYERS: You're prepared to make a  
12 ruling now or after cross-examination?

13 THE COURT: Right now.

14 MR. AYERS: Go ahead then.

15 THE COURT: All right then. The Court  
16 finds that subject to something that the Court  
17 discovers on cross-examination, he is qualified to  
18 testify as an expert in each of those areas.

19 MR. AYERS: Thank you, Your Honor.

20 Q. (By Mr. Ayers) Were you contacted recently in this  
21 case to provide expert testimony?

22 A. Yes, I was.

23 Q. Do you remember when that was?

24 A. March 10 or 11.

25 Q. A couple of weeks ago, a little over two weeks ago?



1 A. A little over two to three weeks ago.

2 Q. And what were you asked to do?

3 A. I was asked to review several reports from the  
4 plaintiffs and offer commentary on them.

5 Q. And did you do that?

6 A. Yes, I did.

7 Q. And, Jami, if we could have Exhibit 1059. Did you  
8 put your discussion of the plaintiffs' expert  
9 reports, the ones you reviewed, in your report that  
10 is now labeled Exhibit 1059?

11 A. Yes, I did.

12 Q. And do you have a copy in front of you?

13 A. Yes, I do.

14 Q. At a very high level, having reviewed the  
15 plaintiffs' expert reports that are in your Exhibit  
16 1059 and based upon your experience and your  
17 education, you are reading and you are teaching, did  
18 you draw any conclusions with regard to Ad Astra 2,  
19 the 2022 Kansas Redistricting Plan?

20 A. Yes, I did.

21 Q. And what were those conclusions?

22 A. That it comported with the guidelines it had been  
23 outlined in the plaintiffs' exhibits.

24 Q. Did you think that there had been racial  
25 gerrymandering?

- 1 A. I saw no evidence of it.
- 2 Q. Or impermissible partisan gerrymandering?
- 3 A. Saw no evidence.
- 4 Q. Did you have any opinions on whether or not any  
5 particular minority group was sufficiently large and  
6 compact enough to constitute a majority in any  
7 reasonably configured district in Kansas?
- 8 A. I did not see evidence that you could have a  
9 majority minority district in Kansas.
- 10 Q. Did you review Dr. Chen's report?
- 11 A. Yes, I did.
- 12 Q. And with regard to Dr. Chen's report, he indicated  
13 that the Kansas plan was an outlier of sorts, do you  
14 remember that?
- 15 A. Yes, I do.
- 16 Q. Did you draw any conclusions about whether or not  
17 the Kansas plan was an outlier?
- 18 A. Looking at his simulations, it was out at the edge  
19 of some of the Ad Astra 2 was at the edge compared  
20 to his plans.
- 21 Q. And then with regard to his testimony on compactness  
22 scores, did you draw any conclusions?
- 23 A. Yes, I did.
- 24 Q. And what were they?
- 25 A. That the compactness scores presented by Professor

1       Chen were I think mathematically correct, but that  
2       he concluded they were not compact enough, and I  
3       concluded that the Polsby-Popper --

4   Q.   It's P-O-L-S-B-Y hyphen P-O-P-P-E-R, Polsby-Popper.

5   A.   I apologize.  Though I was raised in the south I was  
6       raised by northerners, and we tend to speak at a  
7       rapid clip.

8   Q.   Polsby-Popper is not easy to say or to get down.

9   A.   But the Polsby-Popper scores for Kansas were well  
10       above the average across the nation, which I took to  
11       be evidence that the state did try to make districts  
12       as compact as possible.

13                I also noted that there are many other  
14       compactness scores that are not discussed in any of  
15       the reports that occasionally lead to different  
16       interpretations of compactness, that there's -- I  
17       didn't report it in my test report here, but Dick  
18       Niemi in his article with Bernie Grofman found I  
19       think over 30 to 100 -- I forget the exact number --  
20       but either one is rather large of compactness  
21       scores.

22                MR. JONES:  Your Honor, I am going to move  
23       to strike.  He prefaced his answer there by saying  
24       that's not in his report, and it's not in his  
25       report.

1 THE COURT: Gary?

2 MR. AYERS: He's an expert. He's drawing  
3 on his knowledge to answer the question.

4 THE COURT: So this case, in general, seems  
5 to be about a level playing field. Can we all agree  
6 on that? In general, just the whole point of why  
7 we're all here, do we have a level playing field for  
8 redistricting in Kansas or not? And so would that  
9 hold true with expert reports as well?

10 MR. AYERS: Of course, Your Honor. And I  
11 didn't even anticipate his answer so I wasn't trying  
12 to work something into it in any way.

13 THE COURT: No incrimination meant, Gary.  
14 It's just -- it seems to the Court that some of the  
15 issues that you all raise as being novel are not to  
16 a Trial Court.

17 In other words, if an expert submits a  
18 report then an expert testifies about what is in his  
19 report. And I realize you didn't solicit that from  
20 Brad, and I'm not sure that Brad meant to do  
21 anything intentional, but the objection is sustained  
22 and that part of his testimony stricken, and he  
23 needs to testify about his reports and the reports  
24 of those that he reviewed. Despite the fact he may  
25 well be true, it's not admissible evidence here

1 today.

2 THE WITNESS: I apologize for any  
3 miscommunication.

4 THE COURT: No problem at all, Brad. I  
5 understand.

6 Q. (By Mr. Ayers) You did testify about the use of  
7 exogenous election data Dr. Chen and others used to  
8 make predictions about the Third District in Kansas;  
9 is that correct?

10 A. That is correct.

11 Q. All right. And did you draw any conclusions about  
12 the use of statewide election scores that were other  
13 than the election for the district that we are  
14 examining?

15 A. My conclusions dealt with the fragility of the  
16 measures of partisanship that Dr. Chen and  
17 Dr. Miller, I believe it was, had given that. Other  
18 organizations have attempted to right these district  
19 in terms of partisanship that I referenced in my  
20 report, and they came away with different  
21 conclusions.

22 Q. And what is is the impact of having fragility in  
23 these election results applied to a redistricting  
24 plan like Ad Astra 2 to predict the future of the  
25 Third Congressional District?

1 A. The problem is that, if different strategies give  
2 different results, it makes it hard to put much  
3 credence necessarily in one particular measure of  
4 partisanship for a State or a Congressional  
5 District.

6           If one measure predicts that a republican  
7 will win and one measure predicts that a democrat  
8 will win, the conclusion I would draw from that is  
9 that it is, first of all, a very competitive  
10 district, and it was nonpartisan groups some would  
11 argue leaning to the left that predicted the  
12 democratic candidate would win the Third  
13 Congressional District under the enacted plan.

14 Q. Dr. Chen predicted that the enacted plan would  
15 result in a four-zero seat margin in favor of the  
16 republicans. In your analysis, did you find that to  
17 be true or something else to be true?

18 A. The evidence I found was mixed on that particular  
19 question as to what they expect, what people expect  
20 to happen in that particular Congressional District.

21           I believe Dr. Chen and Dr. Miller forecast  
22 a republican victory, but not overwhelming  
23 republican victory. In preparation of my report, I  
24 reviewed the Princeton Gerrymandering Project, which  
25 forecast that the democratic candidate would win

1 that district, and also the PlanScore group, which  
2 is partly staffed by Dr. Warshaw, predicted a  
3 democratic victory in that district.

4 Q. You mentioned the PlanScore document. Did it give a  
5 percentage of the likelihood of a democrat winning  
6 the Third District under the Ad Astra 2 plan?

7 A. I believe the likelihood victory for a democratic  
8 candidate was, in excess, of 60 percent.

9 MR. AYERS: I think we have all that in  
10 evidence, so I'm not going to replot that ground. I  
11 get some credit.

12 THE COURT: And notice I'm on my feet so  
13 you can call that a standing ovation.

14 MR. AYERS: Appreciate that, Your Honor.

15 Q. (By Mr. Ayers) Did you look at Dr. Miller's report  
16 when it came to his opinions on communities of  
17 interest?

18 A. Yes, I did.

19 Q. And did you draw any conclusions from your review of  
20 Dr. Miller's report and his opinions on communities  
21 of interest?

22 A. Yes, I did.

23 Q. And what were they?

24 A. That he had not convinced me, as a reader of his  
25 report, that he had identified definitive

1 communities of interest for the State of Kansas, nor  
2 had he laid out a methodology by which one could go  
3 in and replicate and come up with the same  
4 conclusions he did. That, in short, it is a  
5 judgment call as to communities of interest.

6 Q. Do you remember what his focus was on communities of  
7 interest?

8 A. As I recall it was on an urban rural split in the  
9 State of Kansas.

10 Q. Are communities of interest more or less nuanced  
11 than that?

12 A. They're decidedly more nuanced than that. There are  
13 a myriad of potential communities of interest.  
14 Kansas, as with any state, could be sliced in  
15 numerous ways.

16 Q. What would some of those be?

17 A. One might think about manufacturing industries  
18 within a state, whether it is agricultural,  
19 automobile manufacturing, farm implement  
20 manufacturing, whether it is a service industry  
21 district. Those would all be economic communities  
22 that one could think of.

23 You could think of different types of  
24 businesses that aren't manufacturing, for example,  
25 universities, hospitals. You could think of, you



1 know, like I said universities. Those are the ones  
2 that come to mind immediately.

3 Q. With regard to your review of Dr. Miller's report  
4 and you're background, experience, and experience in  
5 litigating and redistricting, did you draw any  
6 opinions with regard to whether or not the Ad Astra  
7 2 plan was or was not consistent with the goal of  
8 recognizing communities of interest?

9 A. My conclusion that the Ad Astra 2 plan is a  
10 recognized community of interest came by negation in  
11 that I did not believe Professor Miller had  
12 communicated that there was a definitive standard of  
13 communities of interest.

14 Given that he was trying to make that  
15 argument, I didn't find it persuasive, that I  
16 concluded that the state did pay attention.

17 Q. You've done quite a bit of study, have you not, on  
18 partisanship as a factor that influences voting  
19 behavior?

20 A. Most certainly.

21 Q. It's kind of special area for you, isn't it?

22 A. That's one of my main areas of research over the  
23 years.

24 Q. And Dr. Miller in his report draws some conclusions  
25 about partisanship and voting in Kansas. Did you

1 draw any opinions from reviewing his report on  
2 partisanship being either was it is or is not a  
3 control factor in the influencing voting behavior in  
4 Kansas?

5 A. I would argue that it is an important factor in  
6 explaining voting behavior, but there are other  
7 variables that would be important in explaining why  
8 people vote the way they do.

9 I believe it was in Professor Miller's  
10 report. He showed the range of votes for the  
11 democratic candidates was somewhere between 33  
12 percent and 48 percent, and if you look at all  
13 votes, and if it's two party vote the democratic  
14 share in the last gubernatorial election was 52 and  
15 half.

16 Going from 33 to 52 percent indicates to me  
17 that there has to be something other than  
18 partisanship that influences voting behavior I don't  
19 remember.

20 If it was partisanship we would see the same  
21 vote share for democrats in each of those elections.

22 Q. The Court in this case has heard a number of times  
23 that the current democratic member of the United  
24 States House of Representatives, Sharice Davids, was  
25 endorsed by the Chamber of Commerce, a Democrat

1 endorsed by the Chamber of Commerce. Would that be  
2 a factor that might influence a vote in the third  
3 Congressional district?

4 A. I would imagine that if a democrat is endorsed by  
5 the Chamber of Commerce it might be derisive but the  
6 quote Country Club republican might be more inclined  
7 to support her.

8 Q. You do know Johnson County then, don't you? That  
9 was supposed to be a joke, a joke aimed at my  
10 partner. The ultimate -- no, I won't put that on  
11 the record. Just kidding. The rest of you can  
12 participate in the joke if you want.

13 Dr. Miller indicated in his report that  
14 registration is really not a factor in determining  
15 voting behavior. Do you agree with Dr. Miller. I  
16 think the party registration is a factor but not the  
17 only factor.

18 Q. Why is it a factor in terms of trying to predict  
19 voting behavior under the Ad Astra 2 plan?

20 A. I think, if one looks at party registration, we can  
21 get a general sense to the inclination of voters.  
22 I would not argue that everybody does vote their  
23 party label as evidenced by my comment earlier but  
24 if we look at elections we do see a high  
25 correspondence between the two.

1 Q. Is the correspondence of party vote anything Kansas  
2 as great as it is nationally or is it less than it  
3 is nationally?

4 A. I do not know the answer to that question.

5 Q. Dr. Miller indicates that he thought that race was  
6 foundational, a foundational element in Kansas  
7 politics. Did you review that part of his report?

8 A. Yes, I did.

9 Q. Did you draw an opinion about his comment that he  
10 thought that race was foundational in terms of  
11 Kansas politics?

12 A. Looking over his report, I would see the argument as  
13 being more plausible if we went back in time 100  
14 years or so that race might be an important factor  
15 in politics, but as I recall the evidence in his  
16 report largely stops in the the 1920s where he's  
17 talking about the role of race in politics in the  
18 State of Kansas. So I concluded that it was not  
19 part of the foundation of politics today.

20 Q. Dr. Miller used a 2020 Fox News poll with regard to  
21 trying to measure race and ethnicity in terms of  
22 trying to protect voter behavior. Did you draw an  
23 opinion based upon his use of that exit polling?

24 A. As I recall he compared it to the census data on the  
25 racial makeup of the state and then look at turnout

1 based on those exit polls.

2 It is not clear from the report that Fox  
3 News and the Census Bureau used the same definition  
4 of race and ethnicity, so it is difficult to throw  
5 all those conclusions. May I refer to my report?

6 Q. You may. I'm on page 8 and 9.

7 A. On page 8 at the bottom I note that the exit polls  
8 show that electorate is 87 percent white, which  
9 Dr. Miller said the redistricting report, I believe  
10 the redistricting showed white 78.2. So, he argued  
11 that whites were overrepresented in the electorate.

12 Miller didn't note in that report that some  
13 Hispanics might have picked white as a response to  
14 the exit poll. In fact, the census says white  
15 percentage in the state is 86 percent, which is very  
16 close to the vote share or the voting population in  
17 that past election and as the Census Bureau noted  
18 people can identify both as Hispanic and white, and  
19 that's not differentiated in the Fox News AP poll.

20 Q. This seems a little out of order, but would you  
21 agree with Dr. Miller when he says that quote there  
22 is no single way to define a community of interest?

23 A. Would could you repeat the question, please?

24 Q. Would you agree with Dr. Miller when he said there's  
25 no single way to define a community of interest?

1 A. Yes.

2 Q. So, in conclusion, Dr. Lockerbie, did you or did you  
3 not find that the enacted plan was demonstrated by  
4 plaintiffs' experts to be discriminatory in either a  
5 partisan or racial manner?

6 A. I saw no convincing evidence that it was  
7 discriminatory.

8 Q. And did you, in reviewing the report and applying  
9 your education and experience, did you determine  
10 whether or not you believed that there was racial  
11 polarization or racially polarized voting in Kansas?

12 A. I concluded from the evidence presented and  
13 Professor Miller's report that there was racial  
14 voting in the State of Kansas.

15           Given that whites and blacks did vote or do  
16 vote differently, I did, however, further conclude  
17 that the level of racial voting is substantially  
18 less in Kansas than it is across the Nation.

19           If we look at minority voting at, say, the  
20 presidential level for the last 50 years, whites  
21 have voted republican in every presidential election  
22 other than 1964 in my lifetime African-Americans  
23 have voted democratic in every one of those election  
24 by a substantially larger margins than the 60-40 or  
25 65-35 margin that he had in his report.

1 Q. And did you determine from the reports that you read  
2 whether or not -- did you form an opinion as to  
3 whether or not you believe those reports showed that  
4 there have been dilution of minority voting  
5 strength?

6 A. I did not see support for that contention.

7 Q. And did you conclude either way, either that the  
8 enacted plan was or was not within -- well within  
9 the bounds of acceptable? Did you conclude one way  
10 or the other?

11 A. Based on the evidence I saw, I concluded that it was  
12 consistent with what is appropriate.

13 MR. AYERS: Thank you, no more questions.

14 THE COURT: Thank you, Gary.

15 THE WITNESS: Excuse me. Can I get a  
16 bottle of water or a glass of water, please.

17 THE COURT: Absolutely you may. Gary, do  
18 you all have bottled water, because I certainly  
19 would give him a drink. Well thank you, Stanton.

20 THE WITNESS: Thank you.

21 CROSS-EXAMINATION

22 BY MR. JONES:

23 Q. Good afternoon, Professor Lockerbie. Stanton Jones.  
24 I represent the plaintiffs. We met recently at your  
25 deposition. How are you?

1 A. I'm doing well today. I hope you're having a good  
2 day.

3 Q. Your expert report in this case addresses the  
4 analyses of plaintiffs' experts, Dr. Chen,  
5 Dr. Miller, and Dr. Collingwood but not the other  
6 the plaintiffs other experts, right?

7 A. That is correct.

8 Q. It says on page 2 in your report that you are asked  
9 to address all six of plaintiffs' experts, but, in  
10 fact, you offered opinions about only three of them,  
11 right?

12 A. That is correct.

13 Q. Okay. Let's talk about your critique of Dr. Chen.  
14 Dr. Chen conducted a redistricting simulation  
15 analysis in this case, right?

16 A. That is correct.

17 Q. You have never conducted a redistricting simulation  
18 analysis, correct?

19 A. That is correct.

20 Q. You do not have any published works regarding  
21 redistricting simulation analysis, correct?

22 A. That is correct.

23 Q. You have never taught about redistricting simulation  
24 analysis, correct?

25 A. That is correct.



1 Q. Your academic work has not focused on redistricting  
2 simulation analysis, correct?

3 A. That is correct.

4 Q. You have been an expert in redistricting cases  
5 before, but you did not perform any redistricting  
6 simulation analysis in any of those prior cases,  
7 right?

8 A. That is correct.

9 Q. You also did not analyze any other expert's  
10 redistricting simulation analysis of the sort  
11 performed by Dr. Chen here in any of those prior  
12 cases, correct?

13 A. I'm not certain I understand the question. I did  
14 review the work of others, but, if you're asking  
15 that I do analysis in those other cases, no.

16 Q. Professor Lockerbie, you are not an expert in  
17 redistricting simulation analysis, correct?

18 A. That is correct.

19 Q. More generally, none of your published works focus  
20 on redistricting, correct?

21 A. That is correct.

22 Q. None of your published works focus on partisanship  
23 in redistricting, correct?

24 A. On partisanship and redistricting, that is correct.

25 Q. None of your published works focus on measuring the

1 partisanship of districts in redistricting plans,  
2 correct?

3 A. That is correct.

4 Q. Okay. Let's talk about your critique of Dr. Miller  
5 next. Dr. Miller -- you are here for his testimony  
6 yesterday afternoon, correct?

7 A. I believe so.

8 Q. Okay. Dr. Miller testified about Kansas political  
9 geography, right?

10 A. Yes.

11 Q. Okay. You do not have any published works about  
12 Kansas political geography, right?

13 A. That is correct.

14 Q. You have never taught about Kansas political  
15 geography, right?

16 A. That is correct.

17 Q. You are not an expert in Kansas political geography,  
18 right?

19 A. That is correct.

20 Q. Dr. Miller for the plaintiffs also analyzed Kansas  
21 politics and Kansas political history. You heard  
22 his testimony on those subjects?

23 A. Yes, I did.

24 Q. Okay. You are also not an expert in Kansas politics  
25 or Kansas political history, right?

1 A. That is correct.

2 THE COURT: Stanton, forgive me for just  
3 one moment, please.

4 (Recess.)

5 THE COURT: Thank you. Excuse the delay.

6 Q. (By Mr. Jones) Okay. Professor Lockerbie, I believe  
7 you said you are not an expert on Kansas politics or  
8 Kansas political history, which are subjects that  
9 Dr. Miller testified about, correct?

10 A. That is correct.

11 Q. Dr. Miller also testified about Kansas communities  
12 of interest; do you recall that?

13 A. Yes.

14 Q. You are not an expert in Kansas communities of  
15 interest, right?

16 A. That is correct.

17 Q. And Dr. Miller also testified about the history of  
18 race relations in Kansas, and you are not an expert  
19 in the history of Kansas race relations, right?

20 A. Other than referencing it occasionally in my  
21 classes, no.

22 Q. You've taught your students about the Brown v. Board  
23 of Education decision?

24 A. Yes.

25 Q. Other than teaching your students about Brown v.

1 Board, you don't have any expertise in the history  
2 of Kansas race relations, do you?

3 A. Other than a little bit on the fights in the early  
4 years of the statehood and bloody Kansas so to  
5 speak.

6 Q. Okay. Other than maybe passing through the airport,  
7 you've been to Kansas only one time before about 10  
8 to 12 years ago, right?

9 A. I believe that is right. I may have visited  
10 contrary to what I said at my deposition. I may  
11 have visited a second time with my wife when she was  
12 up here receiving medical treatment, but that was  
13 it.

14 Q. Let's talk about your critique of Dr. Collingwood  
15 who testified earlier today for the plaintiffs.  
16 Dr. Collingwood analyzed issues of race in  
17 redistricting in Kansas, right?

18 A. That is correct.

19 Q. Okay. You do not have any published works regarding  
20 race in redistricting, right?

21 A. That is correct.

22 Q. Your academic work has not focused on race in  
23 redistricting, right?

24 A. That is correct.

25 Q. Dr. Collingwood conducted a racially polarized

1 voting or RPV analysis. Your academic work has not  
2 focused on RPV analysis, right?

3 A. Not my academic work. That is correct.

4 Q. You have no published works regarding RPV analysis,  
5 right?

6 A. That is correct.

7 Q. You did not conduct an RPV analysis in this case,  
8 correct?

9 A. That is correct.

10 Q. Okay. Other than analyzing the reports of the  
11 plaintiffs' experts in this case you did not do any  
12 independent work to determine whether there is or is  
13 not racially polarized voting in Kansas, correct?

14 A. As I did not have a lengthy period of time, that is  
15 correct, no, I did not.

16 Q. You didn't conduct an ecological inference analysis  
17 to try to determine racially polarized voting,  
18 right?

19 A. That is correct.

20 Q. But you do understand that ecological inference is a  
21 widely recognized methodology to analyze the  
22 presence or absence of racially polarized voting in  
23 the context of American elections, right?

24 A. Yes, it is more acceptable.

25 Q. Do you have any view on whether ecological inference

1 is an appropriate methodology in the field of  
2 epidemiology or public health?

3 A. Epidemiology? My expertise there is very limited to  
4 put it charitably.

5 Q. Okay. That's not what we're talking about today,  
6 right?

7 A. As far as I know.

8 Q. Very good. You didn't -- and beyond ecological  
9 inference, you didn't use any other methodology or  
10 statistical or mathematical tool of any kind to try  
11 to determine the presence or absence of racially  
12 polarized voting in Kansas, right?

13 A. Other than reviewing the reports and commenting, no.

14 Q. Okay. Your report contains two criticisms of Dr.  
15 Collingwood's analysis. I don't believe you  
16 testified about them at all today, but they're in  
17 your report, which is in evidence, so I'm just going  
18 to ask you a couple questions about them. Okay?

19 A. Certainly.

20 Q. Okay. So your first criticism was that  
21 Dr. Collingwood's report didn't show the statistical  
22 significance levels or confidence intervals for his  
23 racially polarized voting analysis, right?

24 A. I think I heard you say for confidence or I would  
25 say or significance levels.

1 Q. Correct. Let's just do it again. Your first  
2 criticism was that Dr. Collingwood's report did not  
3 show the statistical significance levels or  
4 confidence intervals for his RPV analysis, right?

5 A. That is correct.

6 Q. You now know that Dr. Collingwood, in fact, had  
7 provided the statistical significance levels and  
8 confidence intervals for his RPV analysis in the  
9 back up materials to his report, which were provided  
10 to the defendant's counsel, right?

11 A. As I understand it, yes. I saw the confidence  
12 intervals subsequent to our meeting. I had not  
13 found the significance levels in that pile of  
14 information that was sent to me, but the confidence  
15 intervals were sent after I had gotten a good ways  
16 into my report, so I did not see them.

17 Q. Okay. Do you recall that the spreadsheet you looked  
18 at showing the confidence intervals has two columns,  
19 one that says lower 95 and the other that says upper  
20 or higher 95?

21 A. That is correct.

22 Q. You understand that to mean that the statistical  
23 significance level is 95 percent, right?

24 A. Well, technically speaking, the significance level  
25 would be .05. It would be a 95 percent confidence

1 interval.

2 Q. Okay. Very good. Your other criticism of  
3 Dr. Collingwood was that you weren't sure how many  
4 elections he examined, because in some places in his  
5 report he said nine but in one place he said 10,  
6 right?

7 A. That is correct. I believe we talked about that.

8 Q. You now know that that reference to 10 was just a  
9 typo and Dr. Collingwood had already explained at  
10 his deposition that that was just a typo. He used  
11 nine elections that are listed in his report. You  
12 understand that, right?

13 A. I believe you informed me of that, yes.

14 Q. That resolves the concerns or criticisms that you  
15 had of Dr. Collingwood in your report, right?

16 A. That is correct.

17 Q. Okay. Let's talk about your specific critiques of  
18 Dr. Chen and you wrote that Dr. Chen overstates the  
19 outlier nature of the Polsby-Popper score for the  
20 Kansas Congressional Districts, right?

21 A. Yes.

22 Q. Polsby-Popper is a measure of the geographic  
23 compactness of districts, right?

24 A. Yes, they are the same measure.

25 Q. You've never measured Polsby-Popper scores, right?



1 A. That is correct.

2 Q. You're not an expert in measuring the compactness of  
3 districts using the Polsby-Popper or any other  
4 measure of compactness, right?

5 A. As I've only relied on what others have reported  
6 with regard to compactness, no, I'm not an expert in  
7 constructing them.

8 Q. When you wrote that Dr. Chen overstates the outlying  
9 nature of the Polsby-Popper score for the Kansas  
10 Districts, what you meant there is that the  
11 Polsby-Popper score for the Ad Astra 2 districts is  
12 not an outlier compared to districts in other states  
13 besides Kansas, right?

14 A. That is part of it, yes.

15 Q. Okay. And you understand other states are different  
16 shapes, they're not the same shape as Kansas, right?

17 A. That is correct.

18 Q. Some of them are significantly different shapes than  
19 Kansas. Take Florida as one example that we  
20 discussed at your deposition, right?

21 A. That is correct.

22 Q. Okay. You're familiar with the Kansas guidelines  
23 and criteria for the 2022 Congressional  
24 redistricting that were used in the Legislative  
25 process here, right?

- 1 A. I'm aware of them from the reports, yes.
- 2 Q. Okay. You understand that these are the guidelines  
3 for drawing the four Congressional Districts for  
4 Kansas in 2022, right?
- 5 A. That's what I took them to be, yes.
- 6 Q. Okay. And I can pull them up if need be, but I'm on  
7 the clock so trying to save time. Do you recall  
8 that paragraph 4-A of the guidelines says that  
9 districts should be as compact as possible; do you  
10 recall that?
- 11 A. Yes.
- 12 Q. Okay. That guideline doesn't make any reference to  
13 any other states besides Kansas, correct?
- 14 A. That is correct.
- 15 Q. It doesn't say -- this guideline doesn't say that  
16 the Kansas districts in 2022 that their compactness  
17 should be above average or better compared to other  
18 states? It doesn't say anything like that, right?
- 19 A. That is correct.
- 20 Q. Okay. When it says that the districts in Kansas  
21 should be as compact as possible, you understand  
22 that means as compact as possible for districts in  
23 Kansas, right?
- 24 A. That is correct.
- 25 Q. Okay. You did not do any analysis to determine

1       whether the districts in the Ad Astra 2 plan are or  
2       are not as compact as possible for districts in  
3       Kansas, correct?

4   A.   My conclusion is drawn from looking at that along  
5       with the other criteria and recognizing that there  
6       have to be or there in all likelihood will be trade  
7       offs amongst criteria.

8   Q.   I asked you the same question at a deposition about  
9       a week ago.  Let's just take a look at your answer  
10      there.  Can we go to page 62 of the deposition  
11      please and actually can we do pages 62 and 63 side  
12      by side?

13                 Okay.  Professor Lockerbie, starting on  
14      page 62 on the left on starting on line 23 I asked  
15      you, you did not do any analysis to determine  
16      whether the four districts in the Ad Astra 2 plan  
17      are as compact as possible for districts in Kansas,  
18      right?  And what was your answer at deposition?

19   A.   That is correct.

20   Q.   Okay.  And that was a true answer, right?

21   A.   Yes.

22   Q.   Great.  You understand that the -- we can pull that  
23      down.  Thanks.  You understand that the Ad Astra 2  
24      districts compactness is an outlier compared to Dr.  
25      Chen's simulated Congressional plans for Kansas,

1 right?

2 A. As much as by that particular score, yes.

3 Q. And you understand that the Ad Astra 2 districts  
4 compactness is an extreme outlier in that those Ad  
5 Astra 2 districts are less compact than all 1,000 of  
6 the simulated plans.

7 The enacted districts are less  
8 geographically compact than 100 percent of Dr.  
9 Chen's simulated plans, right?

10 A. That is my recollection as measured by  
11 Polsby-Popper.

12 Q. Okay. As measured by Polsby-Popper and Reock, too,  
13 right?

14 A. I believe so.

15 Q. So as measured by either Polsby-Popper or Reock or  
16 both of them all 1,000 of Dr. Chen's simulated  
17 Congressional plans for Kansas in 2022 are more  
18 compact than the Ad Astra 2 plan; you understand  
19 that, right?

20 A. Yes.

21 Q. The remainder of your analysis regarding Dr. Chen  
22 was about measuring the partisanship of districts or  
23 evaluating likely election outcomes, right?

24 A. That is correct.

25 Q. Okay. And you understand that to measure

1 partisanship of districts in the enacted and  
2 simulated plans Dr. Chen used a composite of recent  
3 statewide election including president, governor,  
4 attorney general, and U.S. Senate, right?

5 A. That is correct.

6 Q. Okay. You did not measure the partisanship of any  
7 districts either enacted districts or simulated  
8 districts using any different metric, other than Dr.  
9 Chen's, right?

10 A. No independent measure of my own, but I did review  
11 as we've gone over other forms of partisanship or  
12 measures partisanship.

13 Q. You don't have any partisan scoring metric that you  
14 believe is better than Dr. Chen's, right?

15 A. No. My point is that the variety of measures show  
16 different results, which would indicate to me a  
17 certain frailty or fragility to the measurement of  
18 partisanship.

19 Q. Okay. You noted that Dr. Chen didn't use or that  
20 plaintiffs' experts didn't use party registration  
21 data to measure the partisanship of districts,  
22 right?

23 A. I noted one of the plaintiffs' experts had negative  
24 review of using that, and I was pointing out that  
25 Kansas is different than some of the other states,

1       which would make it at least more likely to be  
2       important here than in, say, other states where you  
3       have open primaries.

4 Q.   Okay.  You also did not use any party registration  
5       data to measure the partisanship of the enacted Ad  
6       Astra 2 districts or any simulated Kansas Districts,  
7       right?

8 A.   That is correct.

9 Q.   Okay.  So you sitting here you have no idea what the  
10      results would be if someone used party registration  
11      to measure the partisanship of either the enacted or  
12      simulated districts, right?  You do not know that?

13 A.   That is correct.

14 Q.   Okay.  You do know, though, that American political  
15      scientists well agree that party registration is a  
16      lagging indicator of party affiliation across the  
17      United States, right?

18 A.   That is correct.

19 Q.   Okay.  And you also understand this this phenomenon  
20      that party registration is a lagging indicator of  
21      party affiliation across the United States is a  
22      problem if you want to -- if someone tries to use  
23      party registration to measure the partisanship of  
24      districts, right?

25 A.   That is correct.

1 Q. Okay. You did no work to determine whether party  
2 registration is or is not a lagging indicator of  
3 party affiliation in Kansas as American political  
4 scientists recognize that it is across the nation,  
5 right?

6 A. That is correct.

7 Q. Okay. If you, yourself, were going to measure the  
8 partisanship of districts, one thing you would use  
9 is recent elections, right?

10 A. Yes.

11 Q. Okay. And one advantage of using recent statewide  
12 elections as opposed to district elections is that  
13 in statewide races every voter faced the same  
14 candidate, right?

15 A. Yes.

16 Q. Okay. There can also be an advantage to including  
17 relatively lower profile races where the candidates  
18 weren't as well-known, if you want to use recent  
19 statewide elections to measure the partisanship of  
20 districts, right?

21 A. That is correct.

22 Q. Okay. Of the races in Dr. Chen's composite partisan  
23 scoring metric, the composite of nine elections, the  
24 A.G. race was the lowest profile with the others  
25 being president, governor and U.S. Senate, right?

- 1 A. That's my recollection.
- 2 Q. Okay.
- 3 A. No, I would backtrack. I don't know how low profile  
4 the attorney general race is in here. In general,  
5 it's less of a high profile race.
- 6 Q. Okay. Great the Princeton Gerrymandering Product  
7 and PlanScore, which you discussed in your report,  
8 they don't include the attorney general race, they  
9 only include the races that are typically higher  
10 profile, right?
- 11 A. I didn't hear Princeton Gerrymandering Project or  
12 product?
- 13 Q. The Princeton Gerrymandering Project.
- 14 A. Okay. You said product before, so I didn't know if  
15 you were talking about something else. Neither of  
16 those use the A.G. races, as I recall.
- 17 Q. Okay. So it's possible in your mind that Dr. Chen's  
18 metric is better than the Princeton Gerrymandering  
19 Project metric, because Dr. Chen used the lower  
20 profile attorney general race and the Princeton  
21 Project did not, right?
- 22 A. It is possible.
- 23 Q. Okay. And the same is true of PlanScore. Dr.  
24 Chen's metric might be better than PlanScore, right?
- 25 A. That is right. It might be better.



1 Q. You did no work of your own to determine which  
2 metric is better or worse, right?

3 A. No. My point to and my comment was that different  
4 groups came up with a metric that led to different  
5 predictions.

6 Q. Okay. One thing you told me that you said is that  
7 -- I'm sorry. Strike that. One thing that you said  
8 Dr. Chen could have done to measure district  
9 partisanship is to measure it using each of the nine  
10 statewide elections in his composite individually  
11 one at a time to see how the results compared to  
12 each other, right?

13 A. Yes, I recall that discussion we had.

14 Q. Okay. And you believe that if the results measuring  
15 the district partisanship under each individual  
16 election showed the same thing as his composite you  
17 believe that that would bolster Dr. Chen's  
18 conclusions, right?

19 A. That would make his argument stronger, yes.

20 Q. And, specifically, if the enacted District 3 in the  
21 Ad Astra 2 plan was a partisan outlier using each of  
22 the nine elections in Dr. Chen's composite  
23 individually that would bolster his conclusion about  
24 enacted District 3 being a partisan, a  
25 pro-republican partisan outlier, right?

1 A. That is correct.

2 Q. Okay. And you understand now that Dr. Chen, in  
3 fact, did do that analysis and included it in the  
4 appendix to his report those figures A-1 through A-9  
5 where he measured the partisanship of the enacted  
6 and simulated districts using each of the nine  
7 statewide elections individually, right?

8 A. Yes. As I recall, we went through that at the  
9 deposition.

10 Q. Okay. And what those figures show is that using  
11 each of the nine statewide elections from Dr. Chen's  
12 composite individually District 3 in the enacted Ad  
13 Astra 2 plan is always a partisan outlier in  
14 comparison to the Chen simulations, right?

15 A. That is correct.

16 Q. Okay. In 100 percent of those nine statewide  
17 elections enacted District 3 shows up as a  
18 pro-republican partisan outlier compared to the  
19 simulations, right?

20 A. That's my recollection.

21 Q. And you agree that this supports Dr. Chen's  
22 conclusion then that the enacted District 3 in the  
23 Ad Astra 2 plan is a partisan outlier in comparison  
24 to the Chen simulations, right?

25 A. In comparison to the simulated districts he created,

1       yes.

2   Q.   Let's talk about your specific criticisms of  
3       Dr. Miller.  You criticized Dr. Miller's analysis of  
4       Kansas communities of interest, right?

5   A.   That is correct.

6   Q.   You said before you are not an expert in Kansas  
7       communities of interest, right?

8   A.   That is correct.

9   Q.   One thing you said is that the plaintiffs should  
10       have put forward more experts, multiple experts to  
11       evaluate communities of interest in Kansas  
12       independently, and see if they reached the same  
13       conclusions, because, in your view, just having one  
14       expert for our side is not enough, right?

15  A.   My argument was that that would be a way of doing it  
16       though given what I've heard here the judge did not  
17       want tons and tons of experts showing up and after  
18       three days I can appreciate that more.

19  Q.   You also wrote that Dr. Miller's analysis of Kansas  
20       communities of interest isn't empirical and by that  
21       you just meant that it's not measurable or  
22       replicable, right?

23  A.   That is correct.

24  Q.   One thing that Dr. Miller noted is that Wyandotte  
25       County and Johnson County have been historically

1 unified, meaning they've been in the same  
2 Congressional District for 90 of the last 100 years.  
3 That's something that's measurable and replicable.  
4 You could just go check if it's correct, right?

5 A. That the counties are in the same Congressional  
6 District, yes, you could measure that.

7 Q. Dr. Miller also provided data about economic ties  
8 between Wyandotte and Johnson counties including  
9 about intermingled work forces, both the number and  
10 percentage of Johnson County residents who come work  
11 in Wyandotte County and vice versa, right, you read  
12 that in Dr. Miller's report?

13 A. That is correct.

14 Q. It has a bunch of numbers about intermingled work  
15 forces between the two counties?

16 A. Yes.

17 Q. That's something that is measurable and replicable.  
18 You could check if all of that is correct, right?

19 A. For those specific counties, yes.

20 Q. Okay. Dr. Miller also provided information about  
21 transportation connections between Wyandotte and  
22 Johnson including that RideKC has hubs in both  
23 counties.

24 The Kansas City area transportation  
25 authority includes Wyandotte and Johnson Counties

1 and Wyandotte and Johnson Counties are in the same  
2 service district for the Kansas Department of  
3 Transportation.

4 Do you recall reading those connections in  
5 Dr. Miller's report?

6 A. Yes. My point wasn't that Dr. Miller came up with  
7 ludicrous communities of interest or anything of  
8 that nature. I don't think he did.

9 My point was that it is a judgment call and  
10 that others could come up with different communities  
11 of interest, and he didn't convince me his was the  
12 only way of doing it.

13 Q. Okay. Well, the criticism that you offered in your  
14 report was that his analysis of community of  
15 interest wasn't empirical, which you said means  
16 measurable or replicable.

17 All the things that I justified, historically  
18 unified, intermingled work forces, transportation  
19 connection. Dr. Miller documented all those things  
20 in his report with numbers and citations and they  
21 could be measured and replicated, right?

22 A. But to look at communities of interest one would  
23 want to look across the state not just at one  
24 isolated component and assess and they may well be  
25 assess whether those work force exchanges were

1 unique to that area or other counties should be  
2 moved into other districts, because of similar work  
3 force exchange.

4 Q. Got it and you wouldn't have any opinion on that  
5 because you don't have any expertise in Kansas  
6 communities of interest, right?

7 A. Nor did Dr. Miller present it in his report for  
8 other counties.

9 Q. But my question is you couldn't speak to the  
10 question of whether there are any other counties  
11 that share communities of interest with folks in  
12 Wyandotte or Johnson counties here in Kansas because  
13 that's just outside of your expertise, right?

14 A. That is correct.

15 Q. Okay. You noted that Dr. Chen and Dr. Miller used  
16 slightly different sets of prior statewide elections  
17 to measure district partisanship, but you agree that  
18 their conclusions are consistent with each other  
19 about the partisan impact and intent of the Ad Astra  
20 2 plan, right?

21 A. Yes.

22 Q. Okay. You wrote that Dr. Miller didn't sufficiently  
23 consider what might be potential competing  
24 communities of interest in Kansas; do you recall  
25 that?

1 A. Yes.

2 Q. But you can't name any other potential communities  
3 of interest in Kansas, because you don't have  
4 expertise in that topic, right?

5 A. That is correct. I wrote that in some respects like  
6 a reviewer of a manuscript would for a journal, and  
7 it would be, in my opinion, incumbent on somebody  
8 making the argument to convince the reader.

9 Q. You're just saying that you're not convinced?

10 A. That is correct.

11 Q. But you didn't do any independent analysis to  
12 evaluate the question?

13 A. That is correct.

14 Q. Pull up Defendant's Exhibit 1059. This is Professor  
15 Lockerbie's report. Can we scroll to page 9  
16 paragraph 30, please.

17 Professor Lockerbie, this is in the section  
18 of your report discussing Dr. Miller and you write,  
19 Dr. Miller states -- I think it should say cites --  
20 but anyway Miller states newspaper reports to mark  
21 the history of racially motivated violence from 1861  
22 to 1927.

23 We are not provided any corroboration of  
24 these newspaper accounts and then it goes on. Do  
25 you see that?

1 A. Yes.

2 Q. In his report, Dr. Miller actually cited a book that  
3 was published by a historian, Professor Brent  
4 Campney, that collected newspaper accounts over a  
5 period of decades regarding dozens of incidents of  
6 racially motivated violence in Kansas, right?

7 A. That's my understanding.

8 Q. Okay. And do you understand that in the book that  
9 Dr. Miller cited it includes newspaper accounts of  
10 37 lynchings, 105 threatened lynchings, 42 racially  
11 motivated homicides, 26 racially motivated killings  
12 by Police, 26 race riots, and 22 mobbings; do you  
13 recall seeing that?

14 A. That sounds correct.

15 Q. Okay. You didn't read or even get a copy of  
16 Professor Campney's book, which is titled, This is  
17 not Dixie: Racist violence in Kansas 1861 to 1927,  
18 right? You never saw the book?

19 A. That is correct.

20 Q. Did you ever look up Professor Campney to evaluate  
21 his credentials or learn anything about his book?

22 A. No.

23 Q. Okay. Can we pull up Professor Campney's CV, which  
24 is publicly available on the website of his  
25 university on the Internet. Dr. Lockerbie, this is



1 Professor Campney's CV on the Internet. You haven't  
2 seen that before?

3 A. Correct.

4 Q. Professor Campney has a PhD from Emory. He's also  
5 got a Master's Degree from KU here in Kansas in  
6 Lawrence, both in American studies, right?

7 A. Yes.

8 Q. And you can see, if you scroll down a little bit,  
9 faculty professions, faculty positions. He's a  
10 professor now at one of the satellite campuses of  
11 the university of Texas in the History Department,  
12 right? He's a historian?

13 A. Yes.

14 Q. Okay. And, if you scroll down a little more, you  
15 see his book, the book about racial violence in  
16 Kansas won a couple of awards. It won an award for  
17 the Prairie Heritage Book Award; do you see that?

18 A. Yes.

19 Q. And it also won another award for Outstanding  
20 Academic Title from the American Library  
21 Association; do you see that?

22 A. That is correct.

23 Q. If you scroll down a little more under career  
24 honors, do you see that Professor Campney gave a  
25 lecture at the State Library of Kansas at the Kansas

1       Legislature in Topeka when a copy of his book about  
2       racial violence in Kansas was formally entered into  
3       the Kansas State Library; do you see that?

4   A.   Yes.

5   Q.   Okay.  With respect to all of those -- you can pull  
6       that down.  With respect to all of those news  
7       accounts of racially motivated lynchings, race  
8       riots, murders, all the rest, that are collected in  
9       Professor Campney's book, you're not questioning  
10      whether those accounts are accurate or not or  
11      whether those things actually happened?

12                You were just saying that Dr. Miller didn't  
13      corroborate the news of accounts by going and  
14      pulling things like arrest records or records from  
15      criminal trials to confirm what the newspapers have  
16      reported.

17                That was your critique of Dr. Miller,  
18      right?

19  A.   That was part of the critique in that newspaper  
20      accounts, quality of newspapers have changed  
21      dramatically over the last 150 years ago or so with  
22      regard to whether they were purposely inflammatory,  
23      yellow press so to speak, or they have become more  
24      nonpartisan, nonideological newspapers.

25  Q.   Do you know anything about any of the newspapers

1 even one of them that's collected in Professor  
2 Campney's book?

3 A. No, I have not read them.

4 Q. You did no work to figure out anything about any of  
5 those newspapers, right?

6 A. No. I've relied on the report and the lack of  
7 support for them in the report.

8 Q. Do you have any degree in history? Are you a  
9 historian?

10 A. No, I'm a political scientist.

11 Q. Okay. Professor Campney is a historian, right?

12 A. That's what I gleaned.

13 Q. Okay. Can we pull back up the report that's Exhibit  
14 1059, and go to page 7, paragraph 22. At the end of  
15 paragraph 22, the last sentence, the last two lines,  
16 sorry, three lines, the last three lines of  
17 paragraph 22, this is a paragraph that you testified  
18 about during your direct.

19 You wrote applying a fair and reasonable  
20 interpretation of the terms of the Redistricting  
21 Committee's Guidelines, I believe Ad Astra 2 is  
22 consistent with the goals that the Redistricting  
23 Committees set and does not offend any prevailing  
24 norm in the field; do you see that?

25 A. Yes.

1 Q. You did not conduct any individual analysis of Ad  
2 Astra 2 against each of the criteria in those  
3 guidelines, right?

4 A. As I think I said, I relied on the reports provided  
5 by the plaintiffs.

6 Q. Okay. I'm just going to -- I think my question may  
7 be a little different.

8 A. Okay.

9 Q. You did not conduct any independent analysis of Ad  
10 Astra 2 against each of the criteria in those  
11 Redistricting Committee Guidelines, right?

12 A. No independent analysis. That's correct.

13 Q. When you say there that you believe Ad Astra 2 is  
14 consistent with the goals and the criteria, with  
15 respect to the communities of interest factor, all  
16 you're saying is that reasonable people can  
17 disagree, right?

18 A. And that Professor Miller did not provide compelling  
19 evidence that he had identified the one set of  
20 community interest that ought to be taken into  
21 account.

22 Q. One of the other criteria you know from the  
23 guidelines is that the new districts should preserve  
24 the core of the existing Kansas Congressional  
25 Districts when considering communities of interest,

1 right?

2 A. That is correct.

3 Q. Other than reviewing the reports of the plaintiffs'  
4 experts that you critiqued, you did not do any  
5 independent analysis to determine the extent to  
6 which the core of the existing Kansas Congressional  
7 Districts was preserved in the redistricting this  
8 year, right?

9 A. The reports and the maps contained in those reports.

10 Q. Okay. Can we go to page 6 of the report and can we  
11 blow up paragraph 21. Professor Lockerbie, in this  
12 paragraph, which is in your -- this is in your  
13 critique of Dr. Chen, you wrote that from the time  
14 of the founding through 1840 a party could win  
15 almost 50 percent of the vote in the house races for  
16 a state and get zero wins as over one quarter of the  
17 states had at-large elections; do you see that?

18 A. Yes.

19 Q. Okay. And you offered this observation as a  
20 response to Dr. Chen's conclusion that in Kansas  
21 under Ad Astra 2 democrats could win a substantial  
22 percentage of the statewide vote, say, 40 percent or  
23 more yet win zero seats in Kansas, right?

24 A. Yes.

25 Q. And what you're saying here is, well, okay, that's

1       happened before. It happened in some states from  
2       1789 to 1840, right?

3   A. Yes.

4   Q. Okay. During that period Kansas was not a state  
5       yet, right?

6   A. That is correct from my understanding as of late.

7   Q. Okay. During that period women largely couldn't  
8       vote in much of the United States including in  
9       Congressional elections, right?

10  A. Much of the United States. I don't know the exact  
11       numbers of states, but, yes, women were not given  
12       the right to vote nationwide until 1920.

13  Q. And during this period, which is your comparison,  
14       black people also largely couldn't vote in  
15       Congressional elections in much of the United  
16       States, right?

17  A. That is correct.

18  Q. Okay. And during that period, the Congressional  
19       seats, that is the seats that you're talking about  
20       in this comparison in paragraph 30, those seats were  
21       apportioned among the states with African-Americans  
22       being counted as three-fifths of a person, right?

23  A. Through 1840, yes.

24  Q. And you actually note here in the paragraph that one  
25       of the reasons why this phenomenon could occur that

1 a party could win almost half the votes in the house  
2 races in the state and still get zero seats is  
3 because over a quarter of the states at that time  
4 held at-large Congressional elections, right?

5 A. That is correct.

6 Q. And at-large elections there that means statewide  
7 elections for seats in Congress, not using districts  
8 at all, right?

9 A. Unless you consider the state a district, you're  
10 correct.

11 Q. It's statewide. It's electing members of Congress  
12 in a statewide election, right?

13 A. Correct.

14 Q. That's what you meant by at-large elections there,  
15 right?

16 A. Yes.

17 Q. Okay. So, in a state that has at-large statewide  
18 elections for Congress, which at that time was over  
19 a quarter of the states, a party could win 49.9  
20 percent of the vote in each of the statewide  
21 at-large elections and that would mean that they  
22 lose all of them, right?

23 A. That is correct.

24 Q. Okay. And that's because those states didn't have  
25 districts for members of Congress at all. They

1 elected them from statewide elections, right?

2 A. That is correct.

3 Q. Okay. So your point here in paragraph 30 is that --

4 A. Paragraph 30.

5 Q. Sorry, paragraph 21. Your point here in paragraph  
6 21 is that some 200 years ago at a time when Kansas  
7 wasn't a state, when women largely couldn't vote,  
8 and black people largely couldn't vote, and when  
9 over a quarter of the states held their  
10 Congressional elections without using districts at  
11 all, that you observed this phenomenon of a party  
12 winning a substantial percentage of the vote and  
13 losing all the seats.

14 That was your comparison, right?

15 A. The only point I would make in response to that is I  
16 made no reference to the states that had at-large  
17 elections, and I don't know whether they allowed  
18 women and/or African-Americans to vote, but that,  
19 yes, it occurred through 1840.

20 Q. Would you agree that that period of American history  
21 was not a shining era for democracy, and we  
22 shouldn't want to go back to it?

23 A. There are definitely important aspects that I do not  
24 want to go back to.

25 Q. Okay.



1 A. But I would also hasten to add one of the classics  
2 on the American democracy was written by de  
3 Tocqueville during that time period.

4 MR. JONES: Okay. I have no further  
5 questions. I'm going to move to disqualify  
6 Professor Lockerbie on a couple of the topics that  
7 he was designated for, but I can wait until after  
8 the redirect, if that's preferable.

9 MR. AYERS: I don't have any redirect.

10 THE COURT: We don't have redirect so let's  
11 do it now.

12 MR. JONES: Okay. So, Your Honor, we're  
13 going to move to disqualify Professor Lockerbie on  
14 the topics of I believe all the topics that he was  
15 offered, certainly racially polarized voting, racial  
16 polarization. I believe there was a reference to  
17 partisan gerrymandering.

18 Professor Lockerbie has credentials and  
19 does have expertise in American politics, political  
20 science, generally, but I believe what you heard  
21 during the cross-examination is he doesn't have any  
22 expertise in the -- to offer the specific opinions  
23 that he's offered in this case or to testify or  
24 opine about the topics for which he was designated.

25 He critiques Dr. Chen's redistricting

1 simulation analysis, but he says he's not an expert  
2 and has no relevant qualifications or experience  
3 with simulating redistricting analysis.

4 He critiqued Dr. Miller's analysis of  
5 Kansas political geography, Kansas political  
6 history, politics, race relations, communities of  
7 interest, but Professor Lockerbie has admitted he's  
8 not an expert in any of those topics and has no  
9 relevant qualifications or expertise; and he, I  
10 guess, he critiqued Dr. Collingwood's analysis, too.

11 I think those critiques were sort of  
12 withdrawn. They weren't mentioned during the direct  
13 examination, and he testified that they're basically  
14 resolved now, because his critiques were,  
15 essentially, mistakes, but, in any event, he doesn't  
16 have expertise or qualifications to opine about  
17 racially polarized voting analysis or race in  
18 redistricting having no published works or academic  
19 work on those topics at all.

20 THE COURT: Gary?

21 MR. AYERS: Your Honor, I think all this  
22 goes to the weight of the testimony and not whether  
23 or not Professor Lockerbie is or is not qualified to  
24 talk about the four topics that we introduced, and  
25 just because we talked about it in a different way

1 than counsel talked about it on cross-examination  
2 doesn't make Professor Lockerbie unqualified to talk  
3 about those four subjects in the way we offered him.

4 In other words, he's done a lot of work in  
5 terms of voter attitudes how people vote, whether or  
6 not there's racial polarized voting, voter dilution,  
7 that sort of thing.

8 Most of his work is in race and politics,  
9 and he's taught on all these subjects and so he's an  
10 expert. So, we talked about it one way with  
11 Professor Lockerbie.

12 Counsel avoided the way we talked about it,  
13 but the topics were the same and it goes to the  
14 weight of the evidence.

15 THE COURT: Thank you. Certainly Brad is  
16 not as qualified as Drs. Collingwood, Chen, and  
17 Miller, nonetheless the Court determines that he is  
18 still an expert witness qualified to testify today  
19 and I think your cross-examination speaks for  
20 itself.

21 MR. JONES: Thank you, Your Honor.

22 THE COURT: And I don't know if he is here  
23 subject to subpoena.

24 MR. AYERS: Voluntarily.

25 THE COURT: So free to go, free to stay,

1 not calling him again, Gary? Gary, you will not be  
2 calling him again?

3 MR. AYERS: I will not be calling him  
4 again.

5 MR. JONES: Free to go to.

6 THE COURT: I'm sorry. Really we haven't  
7 put the rule in effect, so it doesn't matter. Brad,  
8 thank you so much for your testimony today, and you  
9 are free to leave if you wish and welcome to stay.

10 THE WITNESS: As I have an airline flight  
11 in two hours, I think I will hit the road, sir.

12 THE COURT: Thank you, again, professor.  
13 Afternoon break, 15 minutes everybody good with  
14 that? All right. We'll see you back in 15 minutes.

15 (Recess.)

16 THE COURT: Raise your right hand for me.

17 ALAN DANIEL MILLER,

18 called as a witness, having been first

19 duly sworn, testified as follows:

20 THE WITNESS: I do.

21 THE COURT: All right. Back on the record  
22 in the same case we've been trying for the last  
23 three days, and it looks like the appearances are  
24 the same actually, and we are ready for your next  
25 witness. I've already sworn Dr. Miller in so ready

1 when you are.

2 MR. AYERS: Thank you, Your Honor.

3 DIRECT EXAMINATION

4 BY MR. AYERS:

5 Q. So, could you please give us your full name for the  
6 record.

7 A. Alan Daniel Miller.

8 Q. And you are a professor; is that correct,  
9 Dr. Miller?

10 A. That is correct.

11 Q. And where do you teach?

12 A. Western University also known as the University of  
13 Western Ontario in London, Ontario, Canada.

14 Q. Where did you do your undergraduate work?

15 A. University of California, Berkeley.

16 Q. And what was your area of study there?

17 A. History.

18 Q. Then did you obtain a law degree?

19 A. Yes, I did.

20 Q. From where?

21 A. Northwestern University.

22 Q. In Chicago, correct?

23 A. That's in the City of Chicago.

24 Q. And then did you obtain a master's degree?

25 A. I did a few years later in social science from the

1 California Institute of Technology.

2 Q. Did you obtain a degrees beyond your master's at  
3 Caltech?

4 A. I also received a few years later a PhD in social  
5 science from Caltech.

6 Q. And what is your area of study or what was your area  
7 of study?

8 A. My main focus is in economic theory and law and  
9 economics.

10 Q. And are you involved in the study of axiomatic  
11 measurement?

12 A. Yes. So my main focus within the areas of economic  
13 theory and law and economics is primarily on  
14 axiomatic analysis of methods of aggregation and  
15 methods of measurements.

16 Q. Have you published in any leading economic theory  
17 journals?

18 A. Yes. I've published in several of them including  
19 theoretical economics, American Economic Journal,  
20 microeconomics, the Journal of Economic Theory and  
21 games and economic behaviors.

22 Q. Have you published in the -- did you say the Journal  
23 of Law and Politics?

24 A. Also, that's not an economic theory journal so --

25 Q. My mistake.

1 A. That's a separate category.

2 Q. Have you published in the Journal of Law and  
3 Politics.

4 A. I have. I published one paper in 2017.

5 Q. And do you remember the name of that paper?

6 A. Flaws in the Efficiency Gap.

7 Q. And what was your argument in the Journal of Law and  
8 Politics in 2017 with regard to the efficiency gap?

9 A. The argument that I and my coauthors made in that  
10 article is that the efficiency gap has very serious  
11 flaws as a measure, in general, and that it would be  
12 a very bad idea to apply it as part of a judicial  
13 test for partisan gerrymandering.

14 Q. In terms of your writing, you said you were involved  
15 with axiomatic measurement. Does that involve  
16 axiomatic characterizations of different methods of  
17 ranking?

18 A. It does.

19 Q. Could you, please, explain that to me and anyone  
20 else in the courtroom who doesn't understand.

21 A. So, I have several papers where we look at different  
22 methods of measuring or ranking various objects of  
23 concern to economists, social scientists, or  
24 scientists in general.

25 So, for example, we might be concerned with

1       how we measure the efficiency of an economy. There  
2       are a lot of different methods that are possible.

3               We might be concerned with how we measure  
4       scholarly productivity, and there is something  
5       academics care about there's something called the  
6       h-index, for example.

7               And so what this area of work seeks to do  
8       is to analyze measures very carefully by breaking  
9       them down into the component properties that they  
10      satisfy and, ideally, if possible, showing that a  
11      measure is often the unique measure that satisfies a  
12      set of desirable or potentially desirable  
13      properties.

14 Q. Is the -- can you analyze the efficiency gap with an  
15      axiomatic flavor?

16 A. Yes. So the way we would do that, and the way we  
17      did it in that article is a little bit less formal.  
18      The main idea is to take the basic ideas from the  
19      axiomatic approach, look at properties that we might  
20      want a good measure.

21              After all the efficiency gap is a measure  
22      to satisfy, and then we analyze the question of  
23      whether or not it satisfies that -- those  
24      properties.

25              It's sort of a long -- there's, I think, a



1 long tradition of doing this in terms of various  
2 measures.

3 A classic example would be from the late  
4 1980s Peyton Young had a very famous article where  
5 he used a similar approach to analyze measures of  
6 compactness.

7 Q. And so with regard to, and you're not here to  
8 necessarily talk about compactness, but just in  
9 terms of your background and expertise, what is the  
10 axiomatic characterization or flavor with regard to  
11 compactness measures?

12 A. So, Peyton Young in -- I think the article is  
13 published in 1988, but I could be off by a year,  
14 looked at a large number of compactness measures  
15 that had been floating out up to that time; and what  
16 he did was he basically looked at, okay, here are  
17 some measures.

18 Here are properties that we would like to  
19 see in a measure. Which map measure satisfies these  
20 properties? And at the time -- new measures have  
21 been developed since then, but at the time he was a  
22 able to go through and basically say here are some  
23 basic properties we want to measure to satisfy and  
24 none of the existing measures satisfies these.

25 So it made its year for scholars, one, to

1 identify the potential problems with the existing  
2 measures, and, two, to set a bit of a guideline as  
3 to what we might look for in a better measure of  
4 compactness.

5 Q. Is the efficiency gap the kind of measure?

6 A. It is certainly, I mean.

7 Q. Can you describe generally what the efficiency gap  
8 is and what it's supposed to measure?

9 A. So the efficiency gap was introduced in a paper by  
10 Eric McGhee in 2014 and later popularized more in a  
11 paper by Eric McGhee and Nicholas Stephanopoulos in  
12 the Chicago Law Review in 2015.

13 It is or at least tries to be a measure of  
14 partisan gerrymandering. It works by counting up  
15 something called wasted votes. Wasted votes are for  
16 a party that wins all of the votes above the  
17 majority plus one threshold required to win or the  
18 party that loses it is all of their votes.

19 So, according to this measure, these are  
20 summed up across districts, so we add up the  
21 republican wasted votes from District 1, 2, 3 and so  
22 on.

23 We add up the democratic votes from the  
24 same wasted votes from the same districts. We  
25 subtract the democratic wasted vote number from the

1 republican wasted vote number and we divide it by  
2 the total two-party vote and that gives us a number  
3 between minus 50 percent and 50 percent, which they  
4 argue is a way to measure the level of partisan  
5 gerrymandering in a district. I'm sorry, in a  
6 state.

7 Q. And in 2017 when you wrote your article for the  
8 Journal of Law and Politics, you were not  
9 specifically analyzing Kansas, were you?

10 A. No.

11 Q. So, in terms of this case, were you hired by  
12 defendants or their counsel to give an opinion in  
13 this case?

14 A. Yes, I was.

15 Q. And, generally, what were you asked to do?

16 A. So, I was asked to revisit my analysis of the  
17 efficiency gap. We think to see whether criticisms  
18 are still valid, whether I still subscribed to the  
19 criticisms, asked whether or not the efficiency gap  
20 is applicable in a state like Kansas, and in  
21 addition I was given a copy of Professor Warshaw's  
22 report and asked to look at that as well.

23 Q. So, do you consider -- do you believe that you have  
24 expertise in axiomatic measurement, and it's  
25 application of axiomatic ideas to law and legal

1 institutions?

2 A. I've been working on the application of the  
3 axiomatic method and the axiomatic approach to law  
4 and legal institutions since I was a graduate  
5 student.

6 I've published a number of papers. The  
7 efficiency gap is a measure. I study measures.  
8 More to the point it claims to be and on some level  
9 it is a mathematical measure, and I study  
10 mathematical measures, right, measures from the  
11 perspective of mathematics. And, so, from my  
12 perspective this is fully within my domain.

13 MR. AYERS: So, Your Honor, we would offer  
14 Dr. Miller as an expert in the area of axiomatic  
15 measurement related to the application of axiomatic  
16 ideas to law and legal institution, including the  
17 efficiency gap and its application to partisan  
18 gerrymandering in Kansas.

19 THE COURT: John?

20 MR. FREEDMAN: Thank you, Your Honor.  
21 Following Mr. Jones's lead we have no objection to  
22 the first part of the qualifications on axiomatic  
23 measurement or the application of axiomatic  
24 measurement.

25 We do have some questions that we'll bring

1 out I'll defer to cross about the application of  
2 such measures to the efficiency gap.

3 THE COURT: All right. Thank you. He is  
4 qualified in the issue of axiomatic measurement  
5 particularly as it applies to the EGAP.

6 MR. AYERS: Jami, can I have Exhibit 1062,  
7 please.

8 Q. (By Mr. Ayers) Do you recognize Exhibit 1062?

9 A. I do.

10 Q. Do you also have a copy in front of you?

11 A. I do.

12 Q. That's great. And you indicated that you examined  
13 the efficiency gap and how Dr. Warshaw used the  
14 efficiency gap to measure partisan or racial  
15 gerrymandering in Kansas?

16 A. Just partisan gerrymandering. I've not analyzed  
17 racial gerrymandering.

18 Q. That's right but partisan gerrymandering. Thank you  
19 for that correction. So did you do that?

20 A. Yes, I did.

21 Q. Did you draw any conclusions?

22 A. I drew conclusions reminiscent of those in my  
23 article from 2017 that the efficiency gap is not a  
24 good measure of gerrymandering by any reasonable  
25 definition of gerrymandering; and, furthermore, it

1 would be unwise, and I think probably the word I  
2 should use is a bit stronger than that, but it would  
3 be deeply problematic to apply it as part of a legal  
4 test in Kansas.

5 Q. So, I think you've told us, generally, what the  
6 efficiency gap is and, generally, how it's supposed  
7 to work in terms of the wasted votes. Are there  
8 assumptions that are made with regard to the  
9 application of the efficiency gap to elections to  
10 discern whether or not partisan gerrymandering has  
11 been done?

12 A. So, the efficiency gap is built on a very simple  
13 story of gerrymandering. In that story, there are  
14 two types of people. There are republicans and  
15 democrats. All republicans are the same. All  
16 democrats are the same. Everybody votes. Everybody  
17 votes as expected for their party.

18 And the problem of gerrymandering or,  
19 sorry, the problem with districting is simply  
20 drawing lines around these people. There's no  
21 uncertainty.

22 There's no difference between Joe Biden and  
23 Alexandria Ocasio-Cortez and Mitt Romney and Donald  
24 Trump. Everyone is perfectly identifiable.

25 So, I think that's the basic story under

1       which the efficiency gap makes the most sense.

2 Q.   And is there anything about those assumptions that  
3       you take issue with?

4 A.   So several of the assumptions are problematic.  
5       There's the assumption of that everybody turns out  
6       to vote.  There's the assumption that there's  
7       certainty as to who will vote for whom, and I think  
8       there can be significant negative implications.  I'm  
9       sorry, problems that arise from not considering the  
10      diversity of beliefs, opinions, and preferences of  
11      the voters.

12 Q.  Are there several versions of the formula that  
13      constitutes the efficiency gap?

14 A.  There are.

15 Q.  Let me bring up three demonstrative exhibits, if you  
16      would, Jami.  And do you want the focus on the  
17      numbers or the focus on the formulas?

18 A.  Formulas, if you could.

19 Q.  And what is described in the formulas one, two and  
20      three?

21 A.  Okay.

22               THE COURT:  Hold on just a second, if you  
23      would, Doctor.  Is this an exhibit, Gary?

24               MR. AYERS:  It is a demonstrative of what  
25      was in Warshaw one and two, and then he's corrected

1 for number three.

2 THE COURT: Just identify what it is, if  
3 you would, please.

4 MR. AYERS: Right. These are the  
5 efficiency gap formulas that Dr. Warshaw testified  
6 to and Dr. Miller is going to give his testimony as  
7 to why Dr. Warshaw's formulas one and two are  
8 problematic.

9 THE COURT: I'm sorry. Gary, bad question  
10 on my part. Does it have an exhibit number?

11 MR. AYERS: It does not.

12 THE COURT: It does not. It's just  
13 demonstrative exhibit what?

14 MR. AYERS: Yes.

15 THE COURT: Does it have a number?

16 MR. AYERS: Jami is going to look.

17 PARALEGAL: 1066-E.

18 THE COURT: All right. So, we're going to  
19 call it 1066-E as demonstrative.

20 MR. AYERS: Thank you.

21 THE COURT: John, I see that may be  
22 problematic.

23 MR. FREEDMAN: No objection to the  
24 demonstrative coming in. I just would like to say  
25 future objection while there is discussion of



1 formula one in Dr. Miller's report, I do not believe  
2 that there's discussion of either the second or  
3 third formulas on his slide. So, they're beyond the  
4 scope of his report.

5 THE COURT: Gary, do you want to respond to  
6 that, because, if true, then that would make two and  
7 three irrelevant.

8 MR. AYERS: Well, there's a little bit of a  
9 goose and a gander.

10 THE COURT: Okay.

11 MR. AYERS: The goose and the gander are  
12 that we had witnesses like Dr. Chen who you remember  
13 testified. I came up on cross, and I couldn't  
14 figure out what he was talking about, because he had  
15 supplemented his -- he had gone back and done  
16 research to answer a question I'd asked him during  
17 his deposition, and then he came out with something  
18 new that I never heard before.

19 These are actually the formulas, and he can  
20 do this without the chart, but it is so much easier  
21 with the chart, because he's going to describe  
22 Warshaw one and two and then correct the formula,  
23 and, again, he can do it through testimony, but as  
24 the demonstrative it's so much easier to follow his  
25 testimony with the demonstrative.

1           THE COURT: The chart is not problematic.  
2           So, as I understand the plaintiffs' objection, Dr.  
3           Chen, used or discussed formula one.

4           MR. AYERS: Dr. Warshaw.

5           THE COURT: Warshaw, excuse me. Thank you,  
6           but he didn't have anything to say about two or  
7           three. Is Dr. Miller going to discuss that he  
8           should have used two or three? How do two and  
9           three fit into our case today?

10          MR. FREEDMAN: Can I clarify, Your Honor?

11          THE COURT: Yes, please help me.

12          MR. FREEDMAN: Dr. Warsaw's report  
13          certainly talks about one and two. Two is the main  
14          formula he uses, and we spent some time yesterday  
15          walking through it.

16          Dr. Miller's report only discusses formula  
17          one, doesn't discuss formula two. I've never seen  
18          formula three before ever in my life.

19          THE COURT: Okay. So perhaps to make it  
20          simple so that even the Trial Court Judge can  
21          understand it, one and two are formulas used by Dr.  
22          Warshaw, and Dr. Miller can discuss them without a  
23          problem.

24          MR. FREEDMAN: The question is whether he  
25          can discuss formula two, because it's not in his

1 report. Dr. Warshaw clearly relies on formula two  
2 in his report. Dr. Miller had ample opportunity to  
3 analyze and discuss it in his report and be deposed  
4 on it. He never disclosed anything today about his  
5 opinions on formula two.

6 THE COURT: Okay. We'll get to that in a  
7 moment. As formula three exists, that was not used  
8 by Dr. Warshaw.

9 MR. FREEDMAN: Correct.

10 THE COURT: What is Dr. Miller going to  
11 testify about three?

12 MR. AYERS: He's going to explain to, Your  
13 Honor, the problem with the efficiency gap as used  
14 by Dr. Miller, but he's going to do it visually as  
15 opposed just to his spoken word, and it's going to  
16 be easier for all of us to understand, if we have  
17 the formula in front of us as opposed to just his  
18 words.

19 THE COURT: With you as far as the  
20 demonstrative nature of the exhibit. What does  
21 three have to do with anything?

22 MR. AYERS: He's going to tell us what's  
23 wrong with number one.

24 THE COURT: Okay.

25 MR. AYERS: And why when Dr. Warshaw

1 testified yesterday and Dr. Miller was in the room  
2 why number two does not correct the problem with  
3 number one.

4 THE COURT: Okay.

5 MR. AYERS: And to correct -- to fully  
6 correct number one, Dr. Warshaw thought he corrected  
7 number two. Dr. Miller is going to say, no, number  
8 two does not correct. You need to do one more thing  
9 which is number three.

10 THE COURT: All right. Okay. So he's  
11 going to say three is applicable, because that's  
12 what should have been used?

13 MR. AYERS: He's going to say that if Dr.  
14 Warshaw wanted to correct number one, he went part  
15 way. He did not go the whole way.

16 THE COURT: Yeah, got it. And the problem  
17 that you're having, John, if I understand it is that  
18 Dr. Miller in his expert report did not discuss two  
19 or three?

20 MR. FREEDMAN: That's correct, Your Honor.

21 THE COURT: Okay. We've got it and let's  
22 get started and then make your objections as we get  
23 there if necessary. Perhaps to head off some of  
24 these issues, do you agree with that that Dr. Miller  
25 discussed one, but he did not discuss two or three

1 in his expert report?

2 MR. AYERS: Your Honor, to be honest I  
3 don't know that I know, because this was Clayton's  
4 witness.

5 THE COURT: Let me solve the problem for  
6 you. Dr. Miller, in your expert report that you  
7 submitted, did you use formula two or three or  
8 reference them?

9 THE WITNESS: I used formula two; however,  
10 where it says S marg margin, rather than use that  
11 exact notation, I used a different formula for the  
12 exact same quantity. I put it in this formula,  
13 because this is the one used by Professor Warshaw.  
14 So I thought it would be easier to understand.

15 Number three is discussed in my report. So  
16 there's some examples that go with it. Those  
17 examples and calculations are all in the report, but  
18 what I did not do in the report is write it out what  
19 to me is a simple equation just to make it easier to  
20 follow.

21 THE COURT: All right. So, John, if you  
22 want to add something, do. If not, I'm under the  
23 impression we'll cover it when we get there.

24 MR. FREEDMAN: Yes, Your Honor. If we  
25 could ask the witness to actually identify exactly

1 where in his report he discusses it, because I don't  
2 see two or three in the report.

3 THE COURT: Can you do that, Dr. Miller?

4 THE WITNESS: Can I look at my report?

5 THE COURT: Absolutely.

6 THE WITNESS: All right.

7 THE COURT: Just refer us to the portion of  
8 your report where you discussed two and used three  
9 without the formula.

10 THE WITNESS: Okay. So page 13 of the  
11 report there is a simplified efficiency gap in favor  
12 of that party. The seat margin is also known as the  
13 proportion of seats minus one-half. The vote margin  
14 is the proportion of votes minus one-half.

15 So, if you simply change the notation, the  
16 equation, or the notation on page 13 is equivalent  
17 to figure two and to what is in the equation two in  
18 the Warshaw report.

19 With respect to the third one, let me find  
20 it here. So, I believe it's on page 23. There  
21 is -- I think it's the second and third full  
22 paragraphs I discussed the problem of turnout  
23 independents.

24 I don't put the formula. I only explain  
25 what I believe turned out independents would imply,

1 and I put up the examples, and the formula is simply  
2 the way to notate it, because I thought it would be  
3 better to have three things to compare after I  
4 listened to the testimony yesterday, and realized  
5 that this still might be a matter of concern.

6 THE COURT: Thank you, Dr. Miller.

7 MR. FREEDMAN: Your Honor, there's a basic  
8 notice issue. So with regard to formula three, you  
9 can look at 23. There's no formula. There's  
10 nothing that resembles what is being proposed in the  
11 demonstrative.

12 If you look at page 13, formula two, not  
13 the same. So, if they want to use a demonstrative  
14 based on what's actually in the report or discuss  
15 what's actually in the report, that's one thing, but  
16 to come in here and present formulas that were not  
17 in the report violates basic disclosure requirements  
18 under the Kansas rules of procedure.

19 THE COURT: John, maybe I didn't understand  
20 what Dr. Miller said. I thought he told me that the  
21 formula used in 13 is equivalent to the formula in  
22 number two in the example that Gary is starting to  
23 use. Did I misunderstand what you said?

24 THE WITNESS: That's what I said.

25 THE COURT: Okay. So and as to number or

1 on page 23, you indicated that you did not actually  
2 write the formula out, that the analysis that you  
3 did is based upon that formula?

4 THE WITNESS: Correct.

5 THE COURT: Okay. So, I'll take it that  
6 you and Dr. Miller disagree about that. I don't  
7 find it so problematic that he cannot testify about  
8 it today but note your objection for the record and  
9 expound on it if you feel like you need to.

10 MR. FREEDMAN: Thank you, Your Honor.

11 THE COURT: I take it you don't need to  
12 expound on it?

13 MR. FREEDMAN: Not at this time. I'll wait  
14 until it comes up and then we will measure closely  
15 whether it actually tracks what's in his report.

16 THE COURT: Thank you. All right. Gary,  
17 have any idea where you were before we started down  
18 that path?

19 MR. AYERS: We put 1066-E, the  
20 demonstrative, up, and I hope that Dr. Miller can  
21 explain it without a lot of questions. So,  
22 Dr. Miller.

23 THE COURT: Are you talking about from me  
24 or from you?

25 MR. AYERS: From me.



1 THE COURT: Off we go.

2 MR. AYERS: I know that you understand.

3 Q. (By Mr. Ayers) So, in terms of the demonstrative  
4 exhibit, please explain to the Court and to all of  
5 us how you would correct the efficiency gap formula  
6 used by Dr. Warshaw?

7 A. Okay. If I may, I would like to start by describing  
8 equations one and two.

9 Q. Do that.

10 A. So equation one is the same, well, essentially, the  
11 same as the equation in Professor Warshaw's report.  
12 That is the original efficiency gap formula. It is  
13 the difference of wasted republican votes and wasted  
14 democratic votes divided by total two-party vote.

15 The only difference between that and what  
16 is in Professor Warshaw's report is that I think he  
17 might have denoted the denominator as simply total  
18 vote, but I'm quite certain what he intended was  
19 total two-party vote. That's giving him completely  
20 the benefit of the doubt, but I think that's what he  
21 meant.

22 The second formula is one that I believe,  
23 again, I may have changed the notation slightly, but  
24 I think it's more or or less this one. Seat margin  
25 minus twice the vote margin. Seat margin is the

1 proportion of seats won minus one-half. Vote margin  
2 is the proportion of votes won, again, minus  
3 one-half. It's the margin by which you sort of win  
4 or lose.

5 And, again, that's exactly the formula I  
6 also had on page 13 of my report.

7 The problem I see with formula two is that  
8 Professor Warshaw refers to it. He's not the only  
9 one to refer to it, but he refers to it as the  
10 turnout adjusted formula.

11 And the problem I have with referring to it  
12 as the turnout adjusted formula is we have to think  
13 carefully about what it means to be turned out  
14 adjusted.

15 So, when I think about turnout adjusted, we  
16 may have one district where 300,000 voters turnout,  
17 and another where 150,000 voters turnout.

18 The way I would think that we would adjust  
19 for turnout is we would keep the vote shares of the  
20 two parties equivalent, but then increase the number  
21 till we get to 300,000 votes or you could keep the  
22 vote shares of the other districts and decrease the  
23 number until you get to 150,000 votes.

24 Now, one doesn't have to follow this. You  
25 might assume that, if turnout increased, you would

1       only get for democrats or you would only get more  
2       republicans, but since there's no clear, consistent  
3       way of making that determination, I think this is  
4       the most natural way of adjusting for turnout.

5               And the point I want to make is that if you  
6       adjust for turnout the way I've just described that  
7       is consistent with this property that you adjust for  
8       turnout by equalizing population while keeping vote  
9       share constant, you get formula three and not  
10       formula two.

11              And so that would be the correct turnout  
12       independent formula.

13              The rest of what was on this page of the  
14       demonstrative was a simple example to work through  
15       why it really -- why it can make a difference.

16 Q.   How would that make difference in terms of  
17       calculating the efficiency gap in Kansas?

18 A.   Is there a way to see the whole page?

19 Q.   Yes.   Here we go.

20 A.   Perfect.   Okay.   So sorry.

21 Q.   She'll be back in a second.   There you go.

22 A.   I want to make sure I explain the example, first of  
23       all, because I tend to work with stylized examples.  
24       It's the easiest way for me to understand basic  
25       concepts.

1           In the First District, we have 10  
2       republicans and 30 democrats. The republicans have  
3       a vote margin of minus 25 percent.

4           In the Second District, there are 90  
5       republicans and 30 democrats. Now, note that 90 and  
6       30 is exactly three times 10 and 30. I've simply  
7       switched the parties.

8           So each party would win one district and  
9       they're equal proportions. Turnout independents in  
10      the way I view it would imply that each of these --  
11      that this is not biased in favor of one party or  
12      another.

13          And, if we apply the formula at the bottom,  
14      that's exactly what we get. We add up the vote  
15      margins, not the number of votes. If we were to add  
16      up the number of wasted republican votes and the  
17      number of wasted democrat votes, we would get in  
18      District 1 10 wasted republican votes and nine  
19      wasted democratic votes.

20          In District 2, we would have 29 wasted  
21      republican votes and 30 wasted democratic votes.  
22      And in both cases we'd have 39 wasted votes.

23          So, again, by formula one, we would have an  
24      efficiency gap of zero percent, but, if we do what  
25      Professor Warshaw does, and we add up republican

1 votes and we add up democratic votes, we end up with  
2 100 republican votes, 60 democratic votes. That  
3 gives us a seat margin of zero, because each one  
4 wins one seat and a vote margin of 20 over 160 or  
5 12.5 percent. Multiply that by two, we get minus 25  
6 percent. This example was in the report.

7 And afterwards, I applied this to get a  
8 sense of the extent to which it affected the results  
9 for Kansas. That's what was on the other page of  
10 the demonstrative.

11 Q. Let's see that.

12 A. Okay. So, I want to make a couple notes. For the  
13 2014 election, it would have made very little  
14 difference. Turnout was, approximately, a very  
15 close to equal in all of the districts.

16 In 2018 and 2020, I'm not including the  
17 other races, and I did not include them in the  
18 paper, because there was a problem with uncontested  
19 races, but in 2018 and 2020, there's a difference.

20 Professor Warshaw noted in his report that  
21 by using figure two, you're not likely to get more  
22 of a difference than two percent in favor of the  
23 democrats, but here you can see very clearly that  
24 first of all, when you move to figure two, equation  
25 two to equation one, it shows about a five percent

1 change in favor of the republicans.

2 When I was able to correct using the proper  
3 turnout adjusted formula, it goes down by two  
4 percent, and two percentage points, which in the  
5 case of 2020 is actually 25 percent difference.

6 So, how much matters is going to depend a  
7 lot on the state on the election on the turnout  
8 distribution, but the point I want to make is that  
9 these assumptions can have a significant difference,  
10 and if one is going to use a turnout adjusted  
11 figure, it needs to be figured three, equation three  
12 and not equation two.

13 Equation one, two, and three are equivalent  
14 when turnout is exactly equal in all districts, but,  
15 when they are not equal, you can make an argument  
16 for equation one. That's the original efficiency  
17 gap formula.

18 You can make an argument for equation  
19 three, but there is no theoretical foundation for  
20 equation two. The only advantage of it is it's  
21 simple to calculate, but even by the logic of the  
22 proponents of the efficiency gap equation two is not  
23 valid.

24 Q. Now, Professor Warshaw claimed that the efficiency  
25 gap behaves as one would expect if the party gains

1 seats without getting votes; is that true?

2 A. So, there's always the question of what it means to  
3 say one would expect, but what I believe he was  
4 referring to is a claim made by Stephanopoulos and  
5 McGhee, that is Nicholas Stephanopoulos and Eric  
6 McGhee that the efficiency gap satisfies the  
7 efficiency principle.

8 Matter of face Stephanopoulos, Professor  
9 Stephanopoulos and McGhee claim this is the main  
10 thing that sets their measure apart.

11 It was shown -- the efficiency principle  
12 basically says that, if a party gains seat share  
13 without getting vote share, there are a couple  
14 versions of it, but that's the simplest one, it  
15 should show an advantage to that party.

16 A mathematician named, Ellen, I'm not going  
17 pronounce her name unfortunately, but I think it's  
18 Veomett wrote a paper in Election Law Journal in  
19 2018 showing that fails when turnout is not equal.

20 The same logic can be applied to show that  
21 it fails in the case of equation three, the properly  
22 turned out adjusted formula.

23 Now, I don't know if that's what one would  
24 really expect, but that's the claim that's been made  
25 by proponents of the efficiency gap, and it does not

1 satisfy that criterion.

2 Q. What is counterfactual analysis?

3 A. So, counterfactual analysis is that when we look at  
4 how well, say, it's commonly used in the partisan  
5 gerrymandering literature is that when we want to  
6 see how well -- I'm sorry, how biased, say, a  
7 district is in favor of one party or the other, we  
8 have to look at what would have happened had things  
9 been done differently.

10 Professor Stephanopoulos and McGhee claim  
11 that one of the big advantages of their measure is  
12 that it does not require counterfactual analysis.  
13 It can be applied simply with the numbers from the  
14 election itself. Right.

15 All we need to do is look at what happened  
16 in an election. We take the numbers. We apply  
17 them, and so the great thing is we don't have to  
18 make assumptions, which could be good. They could  
19 be less good. It depends on the context, but they  
20 could certainly be questionable about how things  
21 would have happened otherwise.

22 Q. So, does the efficiency gap require counterfactual  
23 analysis?

24 A. So, in some simple elections, it can be calculated  
25 without counterfactual analysis, but the



1 practitioners who use it pretty much always use  
2 counterfactual analysis.

3 And we heard that yesterday Professor  
4 Warshaw's testimony, when he described the  
5 imputation procedure. The imputation is a type of  
6 counterfactual analysis.

7 It's a view or a prediction of what would  
8 have happened, for example, had races been contested  
9 in the state.

10 Now, there's not anything necessarily wrong  
11 with using counterfactual analysis. This is done  
12 quite often in economics, but it's very important to  
13 keep in mind that, if we do counterfactual analysis,  
14 there are lots of different ways of doing it.

15 The way we do it can affect the results.  
16 So, we like to use methods that are very clearly  
17 known, and understood, and studies, and evaluated  
18 when we're doing this kind of analysis, so that  
19 other people can check our work; and so, without too  
20 much difficulty, we can look and we have a bench  
21 mark, and we can see whether or not it makes sense.

22 Q. Would you put up Exhibit 58, page 27, please, Jami,  
23 Exhibit 58, page 27. This is a -- I need the next  
24 table five. It's the bottom table, yeah. Do you  
25 recognize table five?

1 A. No, I do not.

2 MR. AYERS: Who is Exhibit 58? I can look.  
3 I probably have the wrong exhibit.

4 THE COURT: Gary, if you don't mind, in  
5 order to speed things along, what if we just ask  
6 Dr. Miller what it is that he wants and then you can  
7 publish it?

8 MR. AYERS: I'm sorry. No, it's okay. No,  
9 I have the wrong one. It's Professor Alan Miller's  
10 Exhibit 1061, sorry. I had the wrong Miller  
11 exhibit, page 27.

12 THE COURT: Help him.

13 THE WITNESS: Try page 41.

14 Q. (By Mr. Ayers) I'm sorry, page what?

15 A. 41.

16 Q. 41.

17 A. Right.

18 THE COURT: Thank you, Dr. Miller.

19 MR. AYERS: Good suggestion, Your Honor.

20 Q. (By Mr. Ayers) So, do you recognize table five  
21 there?

22 A. I do.

23 Q. Okay.

24 A. Believe it or not.

25 Q. Yes. So, what does Miller table five tell us?

1 A. Okay. So, here I wanted to just try to point out  
2 why the counterfactual analysis is needed. It's  
3 possible that had I heard Professor Warshaw's  
4 testimony first, I might not have put it in, but  
5 because his report did not mention imputation, it's  
6 mentioned in the papers that he refers to, but not  
7 the report itself, I wanted to try to just get a  
8 sense of how important these counterfactuals are by  
9 trying to compute the 2012 Kansas Congressional  
10 races without any counterfactual.

11 And to be clear, I think Professor Warshaw  
12 would agree with me that it would lead to an absurd  
13 result, but I just want to point out what happens  
14 and why it does that.

15 In the First District, we have a completely  
16 uncontested race, right? Without an imputation, we  
17 would have to assign half or almost half of those  
18 wasted votes for the republicans.

19 Second District is a contested race. We  
20 would apply in the usual way.

21 In the Third District, it is a contested  
22 race, but it is not contested by democrats. And so,  
23 again, we would treat it as an uncontested race,  
24 because the way the efficiency gap is used, not  
25 parties other than democrats and republicans are

1 treated as no turnouts.

2 And, in the Fourth District, it's contested  
3 again.

4 So, when you do this, you get a result that  
5 I think we can all agree is absurd. The republicans  
6 win every seat and the efficiency gap says that's a  
7 8.25 percent margin for democrats.

8 What Professor Warshaw did is he ended up  
9 running a counterfactual. He calculated imputed  
10 votes.

11 Now, I don't have his table. That is I  
12 only was able to see his total vote and not that he  
13 assigned to the two parties and not the imputation  
14 line by line district by district; however, there  
15 were a few thing that I found to be interesting.

16 One, the number of republican votes  
17 dropped, and the number of democratic votes went up  
18 significantly.

19 Now, the increase in the number of  
20 democratic votes going up significantly makes sense,  
21 if you have a district that's uncontested, certainly  
22 like the First District, you're going to get a  
23 decrease, at least, I mean, I can't say for certain  
24 what will happen, but it is reasonable to expect you  
25 will get a decrease in turnout certainly in the

1 number of democrats who are voting, quite possibly  
2 in the number of republicans. It's less clear, but  
3 quite possibly simply because there's one less thing  
4 to vote for, one less reason to go to the polls.

5 Now, this was 2012. It was a presidential  
6 year. It was the reelection of Obama, so it may  
7 have not been the most important year, but it was a  
8 presidential year that would have brought people  
9 out, but something I notice is that in Professor  
10 Warshaw's imputation, the total number of republican  
11 votes ended up dropping, which, again, isn't  
12 necessarily wrong, but it's just very important to  
13 understand why it happens this way, because  
14 decisions like this can affect the results, and I  
15 think those are quite important.

16 MR. FREEDMAN: Your Honor, I would move to  
17 strike that last response. It was both a narrative  
18 response, not called for by the question, and it's  
19 not in his report.

20 I think we would have noted based on  
21 yesterday's testimony if there was a criticism of  
22 Dr. Warshaw's imputation and Dr. Warshaw made quite  
23 clear yesterday he did not impute for these  
24 Congressional races, because he used statewide  
25 analysis.

1           So, I'm quite confident that is not in  
2 Dr. Miller's report. So, we would move to strike  
3 that last response.

4           THE COURT: Gary.

5           MR. AYERS: My partner reminds me that  
6 they've been asking their witnesses all through this  
7 trial to respond to our reports when it wasn't in  
8 their report, just respond to so and so, respond to  
9 somebody else, respond to somebody else.

10          So, it's all within the scope of what our  
11 experts have been talking about.

12          THE COURT: Okay. We've crossed this  
13 bridge the other day. The mere lack of objection  
14 from another party or about another witness doesn't  
15 obviate the other side being able to make  
16 objections, and, if they're valid, me sustaining  
17 them.

18          So, what I'm more concerned about here, and  
19 I understand you can say in the interest of fair  
20 play, we didn't object, and so they shouldn't  
21 object, but you both know the rules of evidence, and  
22 that's not how it works.

23          What the Court is more concerned about was  
24 this information contained in Dr. Miller's report,  
25 if you know?

1 MR. AYERS: I don't know.

2 THE COURT: Shall we ask Dr. Miller?

3 Q. (By Mr. Ayers) Was this contained in your report,  
4 Dr. Miller? So, the one thing that was not  
5 contained in my report, just straight from the data  
6 I did not put in the report, was the change in the  
7 republican number of wasted votes. The rest was in  
8 the report.

9 THE COURT: All right.

10 THE WITNESS: To my recollection.

11 MR. FREEDMAN: To be clear, Your Honor.

12 THE COURT: Wait just a second. Are you  
13 done, Dr. Miller?

14 THE WITNESS: I just want to make one other  
15 point.

16 THE COURT: Please do.

17 THE WITNESS: Which is that for the  
18 calculation of the data set, which involved imputed  
19 votes, right, because Professor Warshaw at one point  
20 compared his estimated efficiency gap score for  
21 Kansas across the efficiency gap scores of every  
22 major Congressional race in the United States for  
23 the past 50 years, give or take, I don't know 30 or  
24 so of them.

25 For that what I understood him to say

1 yesterday was that there were -- that he used  
2 imputation to calculate those numbers.

3 And because I believe it was used in  
4 something like 39 percent of the races, I view that  
5 as relevant. And I think the 39 percent figure was  
6 in my report.

7 MR. FREEDMAN: Your Honor, to be clear,  
8 this table itself is in the report. The criticisms  
9 we just heard about Professor Warshaw and  
10 purportedly not doing an imputation right nowhere in  
11 his report. So, that's why I stood to strike.

12 THE COURT: All right. Your objection is  
13 overruled. I am not striking that testimony, and,  
14 John, I'm sure you'll cover that on  
15 cross-examination. Go ahead, Gary.

16 Q. (By Mr. Ayers) I think Dr. Warshaw's exhibit is 114  
17 and figure nine. Do you recognize this exhibit from  
18 Dr. Warshaw's report?

19 A. I do. I saw it also yesterday.

20 Q. And is this the figure that you were just discussing  
21 in terms of the nationwide elections and imputation  
22 or is this a different figure?

23 A. This figure covers the imputation of nationwide  
24 elections and it compares it to his estimated  
25 scores, efficiency gap scores, for the various plans



1 that have been proposed in the current redistricting  
2 cycle.

3 Q. Is the efficiency gap comparable across the 50  
4 states across the years?

5 A. So, when I look at this graph, what I notice is that  
6 Kansas had plans that were introduced by democrats  
7 and introduced by republicans. All of them are on  
8 the left side of that graph.

9 What that tells me is presuming that the  
10 democrats weren't trying to optimize the map in  
11 favor -- also in favor of republicans -- it seems  
12 unlikely, but please tell me if you think I'm wrong,  
13 what I think it means is that the range of plausible  
14 efficiency gap scores for Kansas might be  
15 constrained, might be different than the range of  
16 possible efficiency gap scores for a different  
17 state, possibly because of factors like geography.

18 This is one of many factors that would make  
19 it hard to compare the efficiency gap across states.

20 Q. So, is the efficiency gap a good measure of  
21 efficiency or relative inefficiency?

22 A. So, I think we need to define our terms, right,  
23 efficiency can mean lots of different things.  
24 Professor Warshaw also used the phrase relative in  
25 efficiency as far as I recall yesterday.

1           And so, I'd want to think about what a  
2           measure of relative inefficiency would be. They  
3           talk about wasted votes. I think most of us would  
4           agree that nobody likes waste.

5           Waste is a bad thing. Waste is a cost that  
6           comes without a benefit, but most of us don't really  
7           try to minimize waste. We don't try to minimize  
8           costs. We try to balance costs with benefits.

9           So, when economists talk about this, we use  
10          terms like marginal costs and marginal benefit. And  
11          here we might want to talk about what the marginal  
12          cost of a vote is, and what the marginal benefits of  
13          a vote is for a party.

14          Now, normally what we would do as  
15          economists, we would measure these things in terms  
16          of dollars, but that's really difficult and it's  
17          also unnecessary, because we don't have to use  
18          dollars as a yard stick instead or we can measure  
19          them in terms of votes.

20          Now, the cost of a vote is really easy.  
21          The cost of a vote in terms of vote is a vote. Each  
22          vote costs one vote.

23          The hard part is measuring the benefit.  
24          And this is where we have to be a little careful  
25          when we think about the efficiency gap. So, the

1 benefit that the efficiency gap ascribes to the  
2 first vote is zero and that makes it a wasted vote,  
3 because there's a cost of one vote. There's no  
4 benefit, and it's zero.

5 The benefit of the second vote is zero. So  
6 on and so forth all the way until you get to the  
7 50th percentile, and, if you have, say, an even  
8 number of vets, if you've got 100 votes, and you get  
9 up to the 50th vote, it's still zero, but then the  
10 first vote that crosses the threshold resets the  
11 counter of waste.

12 And, now, no votes are wasted again until  
13 you get to the next vote, and it sets it -- the way  
14 the benefit of the next vote is zero, again, and so  
15 on and so forth until you have all the votes in the  
16 state.

17 So this means that the benefit is of a vote  
18 is, sorry, the benefit of a seat is equal exactly to  
19 50 percent plus one give or take a half a vote of  
20 the votes.

21 That's a measure of benefit. As a  
22 cost-benefit measure you can call that a measure of  
23 relative inefficiency and that would define the  
24 efficiency gap, but it has some problems. I don't  
25 know if you want me to go into this now or --

1 Q. Go ahead.

2 A. The problem with it or the problem that I see, and  
3 my coauthor saw in 2017 is that, if it's a measure  
4 of relative inefficiency, it has to be the case --  
5 two things have to be true.

6 One thing that has to be true is that the  
7 measure has to be zero whenever there is no  
8 gerrymandering, because this measure of relative  
9 inefficiency is meant to be a measure of  
10 gerrymandering.

11 If there's no partisan gerrymandering, the  
12 measure has to be zero.

13 Now, if you have a case, it's not a  
14 realistic example. I know that and for some people  
15 that matters. For economic theorists, because of  
16 the way our methodology works it does not, but if  
17 you a case where all the republicans win all the  
18 seats and the democrats win none, the cost of the  
19 democrats is zero. The benefit of the democrats is  
20 zero. So their net cost is zero.

21 That implies, because the overall measure  
22 has to be zero, that the net cost of the republicans  
23 in that case and the net benefit of the republicans  
24 have to be equal.

25 Since republicans have got 100 percent of

1 the votes, their benefit of a seat must also be  
2 equal to 100 percent of the votes.

3 I think this is very natural. It's very  
4 natural, because if, I mean, I may have a bias here,  
5 but if I hear that the republicans won a district by  
6 60 percent, I don't think they suffered a loss in  
7 winning by more votes than they needed.

8 I think they got a benefit by being able to  
9 get all of the power with less than the full consent  
10 of those voting.

11 And that's what this adjusted measure of  
12 benefit, which I think is implied by the logic of  
13 the efficiency gap would really be.

14 So, I don't think on those grounds that the  
15 efficiency gap is a good measure of relative  
16 inefficiencies.

17 Q. What is the unambiguous case in terms of  
18 gerrymandering where we know what the right outcome  
19 is?

20 A. So, there are a couple unambiguous cases. One that  
21 I just mentioned, which I think is a very clear  
22 case. It's not a realistic case, but we expect that  
23 -- can I pause for a second and go back?

24 I just want to make a point that we care  
25 about unambiguous cases, because measures are often

1 quite opaque. You can go out there and say I've got  
2 a measure and this is what it is whether you can  
3 claim its good and say we eyeball it or compare it  
4 with other measures, but it's very hard for us to  
5 really know what's going on with a measure.

6 So, what we want to do is we want to  
7 compare the measure. We want to test the measure in  
8 those cases where we know there's an unmistakable  
9 right answer.

10 The unmistakable right answers, one of them  
11 is the case that I just described where 100 percent  
12 of the votes in a state go to one party in a model  
13 where there's only two kinds of people and the  
14 democrats, for example, don't exist; that cannot be  
15 said to be gerrymandered. Right?

16 The second unambiguous case is one that  
17 Professor Stephanopoulos -- sorry -- Warshaw  
18 mentioned yesterday. A little bit tired. And  
19 that's the case where there's only one district.

20 In the first case, the efficiency gap gives  
21 what's agreed to be a nonsensical result, which is  
22 that if everyone the state is republican, the state  
23 is gerrymandered heavily in the favor of democrats.

24 In the second case, at least in the 25 to  
25 75 percent range, the efficiency gap merely becomes

1 a measure of how close the race is, but in both  
2 cases it gives the wrong answer.

3 Now, I think yesterday Professor Warshaw's  
4 response was, well, we clearly don't use the  
5 inefficiency gap in the place where it gives a  
6 nonsensical response, but it's pretty good  
7 everywhere else.

8 And that's not the approach that would be  
9 taken by people in my field by economists who work  
10 with measurements, because unless we have a very  
11 well-stated, clear reason, and justified reason for  
12 constraining the measure to only certain values, we  
13 would have to look at whether the logic of the  
14 measure implies something wrong in a clear case.  
15 And those are the clear cases.

16 Q. Is there a best measure of relative inefficiency?

17 A. I think, if we're going to use a concept of relative  
18 inefficiency, we would probably use the measure that  
19 assigns the benefit of the seat to be equal to 100  
20 percent of the votes.

21 That measure is also very intuitive,  
22 because that measure is deviations from  
23 proportionality.

24 Now, I'm not claiming this a good measure.  
25 I don't think you're going to get the right answer

1 by looking at a measure of relative inefficiency.  
2 It fails in the case of a one district state.

3 And we do not have -- if we wanted -- if we  
4 believed that was the right system, we could just as  
5 easily implement that by changing our political  
6 system to what many other countries use, and what  
7 I'm quite familiar with, having lived in one of  
8 those countries as to a proportional system.

9 Q. This is not -- the efficiency gap is not  
10 proportional?

11 A. No. No. What I would call the efficiency gap is  
12 quasi-proportional.

13 Q. Go ahead?

14 A. So, it looks at the proportion of seats and the  
15 proportion of votes at least in figure two, which  
16 is, again, I don't think that's the right way to  
17 view the efficiency gap except in the case where  
18 there's pure turnout, but it's the version that  
19 Professor Warshaw used.

20 And it's easier to think about this  
21 sometimes, if we just assume equal turnout for the  
22 sake of the thought experiment.

23 That I would call quasi-proportional,  
24 because it looks at the comparison between the  
25 proportion of votes and the proportion of seats, but



1 it contains a winner's bonus.

2 So, for every additional percentage of vote  
3 share you get, you get your seat share goes up by  
4 two percent. Sorry, the ideal seat share goes up by  
5 two percent.

6 We could also just as easily adopt that in  
7 a proportional system as well, right?

8 Rather than say we just count the number of  
9 votes you get, and, if you have 55 percent, we would  
10 give you 60 percent of the seats.

11 That might seem a little bit crazy, like, I  
12 don't know any proportional system that's adopted  
13 anything like that, but any objection we would have  
14 to that form of a quasi-proportional voting system  
15 would also apply in principle to the efficiency gap.

16 Q. So, what test do Stephanopoulos and McGhee advocate  
17 for Congressional Districts?

18 A. So, they advocate that for Congressional Districts  
19 we use a two-seat threshold, that is if the  
20 efficiency gap is greater than -- if a party will  
21 get more than two seats, sorry, two seats more than  
22 an efficiency gap of zero effectively where an  
23 efficiency gap would apply, then they argue it  
24 should be held to be presumptively invalid subject  
25 to a second stage of review.

1 Q. Hold that for a second. Jami, could I have  
2 Dr. Miller's report 1061, page 18. We need the  
3 figure two blown up. I think you just mentioned  
4 figure two; is that correct?

5 A. What?

6 Q. Did you just mention this figure two?

7 A. No. Mentioned the two-seat threshold.

8 Q. Okay. Go ahead.

9 A. This is a good picture of it though. I'm proud of  
10 it. I put some work into it. So, should I describe  
11 the picture?

12 Q. Go ahead. Right. So, this is a picture I plotted.  
13 I was trying to make sense of the two-seat  
14 threshold. I want to be completely honest here.  
15 When I first looked at this in 2017, this made no  
16 sense to me why you would use a two-seat threshold,  
17 because it treats big states differently than small  
18 states?

19 Q. What is the two-seat threshold?

20 A. It was a test that was advocated by Professor  
21 Stephanopoulos and McGhee. It's the only actual  
22 clear test I've ever seen advocated for the use of  
23 the efficiency gap in Congressional redistricting,  
24 and it says that if you have more than -- if your  
25 efficiency gap gives you a result that's more than

1 two seats away from what you would have got with an  
2 efficiency gap of zero, then your district plan  
3 should be held to be presumptively invalid.

4 On this chart, I've plotted two things.  
5 One, I've plotted by using Professor Warshaw's data,  
6 I've plotted the efficiency gap scores that he's  
7 calculated using imputation for every major  
8 Congressional race for the past 50 years.

9 There are a couple missing, because they're  
10 few from Vermont, which, I think, is a one-district  
11 state anyway that are in there.

12 For some reason the state of Louisiana was  
13 left out of the data set. There are a couple points  
14 that are clearly mistakes, because they are not  
15 within the right range but for the most part that's  
16 the picture.

17 I've used a very semiopaque shading, a  
18 translucent shading for the dots so that dark areas  
19 indicate areas of greater concentration, because  
20 otherwise you would see sort of a blob.

21 This is also a figure that Professor  
22 Warshaw replicated a version of yesterday and he  
23 took off the first three rows to show the effect of  
24 the others. I think he also made it completely  
25 opaque, which removed the shading.

1           But when I saw this picture, I then plotted  
2           the two-seat threshold, which the blue lines, and I  
3           realized something here that I think because of the  
4           general rule that variance is decreasing in the size  
5           of a sample, which is just general rule in  
6           statistics.

7           I'm not sure that applies in every single  
8           case but gave me an intuition. Sample variance  
9           tends to decrease of the size of a sample. I  
10          realize that the efficiency gap scores might be  
11          getting closer together as the number of districts  
12          increase, and that's what we see pretty much in this  
13          chart.

14          If you look at the shading, it becomes  
15          pretty clear that the efficiency gaps have a  
16          narrower range and less variance as you move to the  
17          right.

18          It's not perfect, but there's a pretty  
19          unmistakable -- what looks to me like a pretty  
20          unmistakable trend. When I saw this, I realize  
21          that two-seat threshold, while I don't accept that  
22          the efficiency gap is a good measure at all, but, if  
23          one were to use the efficiency gap, the two-seat  
24          threshold makes more sense than I originally  
25          anticipated. So, that's the blue line.

1 Q. Would that be applicable in Kansas?

2 A. Would it be applicable? You could apply it, except  
3 that in Kansas it would imply that there could never  
4 be a gerrymandered district. There can never be  
5 partisan gerrymandering, because in any state with  
6 four or fewer districts, every plan would be deemed  
7 acceptable by this threshold.

8 I still think that's a weakness of the  
9 measure and of the approach, not because I think  
10 that you should use a different threshold for the  
11 efficiency gap, but I don't think at least when this  
12 was proposed to be used on the federal level.  
13 Right?

14 The original goal of McGhee and  
15 Stephanopoulos was to try to convince the median  
16 justice on the U.S. Supreme Court, because of some  
17 Federal Court decisions, which I guess I should  
18 probably refrain comment on, because I'm not trying  
19 to give a conclusion on Federal Law here.

20 I thought that was a problem, because in a  
21 small state like Kansas it would imply that there's  
22 no partisan gerrymandering, and I don't think that  
23 would be the consensus of people in this room that  
24 would be impossible for gerrymandering in Kansas,  
25 but this is the only test that I know of that's been

1 proposed.

2 Professor Warshaw did not suggest a test in  
3 his testimony or in his report, and that test by the  
4 efficiency gap authors to suggest that's  
5 inapplicable in Kansas.

6 I'm not convinced that what they -- I don't  
7 want to speculate about their motives, but there are  
8 basically two possibilities that I see here. One of  
9 which is that they were to have thought that the  
10 efficiency gap should not be applied in Kansas.

11 The other, which I think is more likely,  
12 but you can draw your own conclusions is that they  
13 didn't think carefully about small states when they  
14 designed this measure.

15 The other reason to think they might not  
16 have thought carefully about small states is, again,  
17 the efficiency gap doesn't make sense, everyone  
18 agrees, in a one-district state.

19 In a two-district state it is still pretty  
20 clearly problematic, and we don't know exactly where  
21 to draw the line. In a two-district state the  
22 efficiency gap still looks something like a measure  
23 of competitiveness where high scores indicate highly  
24 competitive districts.

25 As a matter of fact, for actually any

1 number of districts, if you have a very, very, very  
2 high efficiency gap score, so we only see them here  
3 for one, two or three district cases.

4 So anyplace we have a high efficiency  
5 score, a high score which means heavy gerrymandering  
6 simply means a heavily competitive district. How  
7 high it has to be varies from district to district.

8 We don't know where the cut off is. I  
9 think that probably is a result of not thinking  
10 carefully when designing the measure how it would  
11 apply to Kansas.

12 Now, I don't want to go, you know, go  
13 overemphasize this, because as I pointed out I also  
14 don't think it applies to California, but I presume  
15 that's not an issue here.

16 Q. Could I have figure three, please. It's on page 25  
17 I think. What were you showing us in figure three?

18 A. So, figure three I put up because of a claim --

19 Q. And, to the extent that you can, I know it's late,  
20 but our court reporter is going to die if we keep --

21 A. Oh, I'm sorry.

22 THE WITNESS: Is there any way I can get a  
23 bottle of water from my bag?

24 MR. AYERS: Yeah. His bag is behind us.

25 (Inaudible discussion.)

1 THE WITNESS: Sorry. I've never done this  
2 before.

3 THE COURT: Let us know if you need  
4 anything.

5 THE WITNESS: Thank you.

6 THE COURT: I hope that came from your bag  
7 because it was open.

8 THE WITNESS: Yeah, I'm pretty sure it came  
9 from my bag.

10 MR. RUPP: It came from your bag, and I  
11 assume it's you're bag.

12 THE WITNESS: I hope so. That's where I  
13 put it. Okay. So, Professor Warshaw -- I'll try to  
14 go slowly.

15 MR. AYERS: I understand. I'm trying to  
16 build credit with the Court.

17 THE COURT REPORTER: Thank you.

18 THE WITNESS: Don't take this personally  
19 but the Court are the people I care most about, not  
20 the lawyers, as a lawyer myself.

21 A. So, I put this chart up for a reason. Professor  
22 Warshaw had, I think, three points in his report  
23 pointed out that his estimated efficiency gap for  
24 the Ad Astra 2 plan was minus 22.7 percent, and he  
25 pointed out what he wrote in the report, but



1 actually let me explain how I got here first.

2 He said that that is extreme, more extreme  
3 than 95 percent of all districts and more  
4 pro-republican than 98 percent of all districts is  
5 all in his study from the past 50 years.

6 What I missed, because it only appears once  
7 out of the three times its claimed is that he wrote  
8 for all plans with at least three districts or all  
9 states with at least three districts.

10 So, I tried computing it, and I got the  
11 wrong result. And this is when I realized that  
12 small states probably are going to have more  
13 variants in their scores. In the whole range of  
14 their scores, that I was able to come close to his  
15 numbers with three. I got it exactly with four.

16 Yesterday he testified that it was a typo  
17 and the right number is four, so that's all  
18 consistent, but I wanted to see basically why choose  
19 four?

20 Now, he said because it doesn't make a  
21 whole lot of sense below four, but the thing I  
22 wanted to point out is that if you choose four and  
23 above, you're biasing your estimate.

24 So, I want to put aside the 98 percent  
25 figure. I don't think the 98 percent figure is

1 meaningful at all.

2 I don't think the issue at this case that  
3 Professor Warshaw or anyone else raises is that the  
4 problem with the plan is that it's pro-republican.

5 Now, I'm not going to try to substitute my  
6 views for over the Court. So, if the Court  
7 disagrees with me, then please disregard what I'm  
8 saying, but I think the allegation is that it's too  
9 extreme.

10 We don't care about whether it's too  
11 pro-republican or too pro-democratic in this  
12 analysis. We care whether it's too extreme.

13 So the right number of his -- the only one  
14 we should be concerned about at all is 95 percent,  
15 but what I noticed is you get a 95 percent number,  
16 if you consider four districts and above, if you  
17 focus around four districts.

18 So, I actually just put in four districts,  
19 because I wanted to try to keep it as close as  
20 possible, and I got a number closer to 80 percent.

21 Professor Warshaw yesterday said that, if  
22 you do four to seven or four to six, you get 90-91  
23 percent. I checked that. It's 89 to 91 percent.  
24 So it's close.

25 I also checked three to five or four to

1 five, and I got about 84-85 percent.

2 So, there may be something a little bit  
3 different about four, but the general message is  
4 that, when you only include larger districts, you're  
5 biassing your results in favor of the results in  
6 Kansas looking more extreme.

7 I don't think this was on purpose, but I  
8 think it effectively this happens a lot in empirical  
9 work, people actually put their thumbs on the scale,  
10 and I think that's what happened here.

11 That's also probably why I stay away from  
12 empirical work, because I would be afraid I would be  
13 doing the same thing.

14 Q. If you could look at figure four on page 26. It's  
15 the next page. What does figure four show us?

16 A. So here I just wanted to plot the same thing, but  
17 slightly differently. I wanted to show the effect  
18 or the relevance of the number of districts. So, I  
19 took his 22.7 percent pro-republican threshold, and  
20 I just plotted the proportion more bias towards  
21 republicans, and the total proportion more bias in  
22 general.

23 And here I think you you can see very  
24 easily that it decreases quickly, and it drops off  
25 pretty quickly after -- well, it drops substantially

1 after four. It drops even faster after five, six  
2 and once you get to seven there are only a couple  
3 cases where the proportion is higher. Often many of  
4 those are simply single cases, except that the  
5 proportionate number of districts goes down so  
6 they're up a little higher.

7 So, this is basically just to illustrate  
8 the point, that you're going to get the wrong result  
9 or you're going to get a very biased result, if you  
10 look at everything from four to 53.

11 I'm not claiming that these numbers would  
12 be relevant one way or the other.

13 On the contrary I would claim that they're  
14 not relevant, because there's been no claim of why  
15 these numbers are relevant. There's no statistical  
16 test, for example.

17 It's just a comparison, but if we're going  
18 to do a comparison, if we think the numbers are  
19 relevant, we do have to calculate them correctly.

20 Q. Does the efficiency gap assume uncertainty?

21 A. So the model that underlies the story of cracking  
22 and packing on which the efficiency gap is based or  
23 the story for that matter assumes no uncertainty.  
24 If you were to assume uncertainty, I think that  
25 would lead to different conclusions.

1 Q. And why does that matter?

2 A. Okay. So, there are a couple of reasons, but I  
3 think the main one I'd like to focus on is that the  
4 efficiency gap is touted as a measure of packing and  
5 cracking. I don't have the words in front of me. I  
6 do have the words in front of me, but I'm not  
7 looking at the report.

8           So, what I believe Stephanopoulos and  
9 McGhee said is it's basically a tally of all the  
10 packing and cracking decisions made in creating the  
11 district.

12           Under uncertainty the story that district  
13 partisan districting committees would pack and crack  
14 probably doesn't hold, and, when I say it probably  
15 doesn't hold, there are a number of papers in the  
16 economics literature that look at this, going back  
17 from Owen and Grofman, which is a very well-known  
18 paper from the 1980s and 1988, Friedman and Holden  
19 in the AER in the American Economic Review in 2008.  
20 There are several others.

21           They don't all come to the same conclusion,  
22 because there are different ways of modeling  
23 uncertainty and they lead to different results.  
24 There's no clear consensus yet in the literature,  
25 but in these papers, packing and cracking is no

1 longer optimal.

2 I'll give you a simple example, and that's  
3 from what I believe was Owen and Grofman and that's  
4 the story where, if what you want to do is, for  
5 example, maximize control of a Legislature, what I  
6 think even to some extent, if you want to maximize  
7 seats, you don't actually want to get too close.  
8 You don't want to hold the district by one vote.

9 There's going to be absurdity, and so you  
10 want to build in a healthy margin, so that outside  
11 of completely big swings, you get to keep your  
12 seats. And you may give up some seats just to have  
13 a higher probability of holding onto your other  
14 seats. This depends on a lot of assumptions.

15 I'm not claiming that any one of these  
16 models is right and any one of these models is  
17 wrong, but in those stories, packing and cracking is  
18 not the right answer.

19 So, the story where you have 51 to 49  
20 districts, which under the efficiency score would be  
21 horribly biased would be more than likely an  
22 indication of a competitive race. And, so it  
23 wouldn't be a useful measure of how much partisan  
24 gerrymandering is going on there.

25 Q. The efficiency gap relies on two types?

1 A. Right.

2 Q. And why does that matter?

3 A. Okay. So, the efficiency gap story is a story where  
4 you only have democrats and republicans. You don't  
5 have Trumpers and never Trumpers. You don't have  
6 progressives or moderate democrats, I guess, and so  
7 it misses -- well, it ignores -- I'm going to get to  
8 misses in a moment -- but it ignores why this might  
9 matter, and the reason I think it might matter is  
10 that in a large number of economic and political  
11 science models that study political behavior the,  
12 sorry, one second -- in a large number of these  
13 models these kinds of differentiations are what  
14 drive the result.

15 Now, we care a lot about how people will  
16 respond to the efficiency gap, right? We care a  
17 lot about how people will reply to any constraints  
18 that's imposed, and since I think people call  
19 gerrymandering is something that I would probably  
20 refer to as optimization, which is a less loaded  
21 term.

22 I don't mean to imply that for anyone who  
23 dislikes gerrymandering you should like  
24 optimization. I'm not trying to make any normative  
25 statement but since what we call gerrymandering is I

1 think optimization by partisans trying to do the  
2 best for themselves subject to constraint, we need  
3 to understand what would happen if we adopted a  
4 different constraint.

5 So, in the paper, I put in a very simple  
6 you could almost call it a toy model based off of  
7 the median voter theorem, which is a work horse  
8 result, a very standard based result.

9 Q. That would be, I think, page 31 of his report; are  
10 we there?

11 A. Yeah, that's it.

12 Q. Okay. Go ahead. Sorry to interrupt.

13 A. All right. So, as I was saying, there's a result in  
14 political science due to a Scottish economist named  
15 Duncan Black. I think it's one of the classic most  
16 basic results in political economy.

17 It's closely related to a model in an  
18 industrial organization created by Harold Hotelling  
19 in 1929 -- sorry. I'm speaking too quickly. I  
20 apologize.

21 And the model basically looks at voters who  
22 are on a left right dimension. As you can tell,  
23 it's very simple. Everybody is either at one point  
24 on the spectrum. They don't like getting further  
25 away from their point. They want to be as close as



1 possible to their point on some level and the winner  
2 in this model under some basic hypotheses is that  
3 it's going to be the voter in the middle who wins or  
4 the policies that will be enacted are the voters in  
5 the middle.

6           And, so what I wanted to do was compare  
7 five district states in one five-district state all  
8 of the districts are five democrats and four  
9 republicans. You have for each district three  
10 leftists or progressives maybe, two center left, two  
11 center right, two rightists, trying to be generic  
12 with the names, and the winner in each district is a  
13 center left candidate, because that's the median,  
14 and because each district has a winner who is a  
15 center left candidate, the Legislature is made up of  
16 center left candidates and adopts center left  
17 policies.

18           In plan, two, however there are safe  
19 districts. Safe districts are safer from the risk  
20 of having your plan rejected, because of its  
21 efficiency gap score. And here, in the safe  
22 democratic districts, you have five leftists each,  
23 because they're the median, they control the  
24 district.

25           In the republican districts, you have --

1 they're controlled by rightist candidates, but at  
2 the end of the day it's the leftists who are the  
3 three leftists in the Legislature, and as a  
4 consequence you end up with leftist policies  
5 enacted.

6 Now, I don't want to claim this is what  
7 will happen in practice. It's a model. It's a  
8 simple way that economists and formal political  
9 theorists think about these problems.

10 There is some contention in the literature  
11 that this is in practice safe seats don't  
12 necessarily lead to more conservative districts.

13 MR. FREEDMAN: Your Honor, the witness has  
14 been talking six minutes without a question, so I  
15 would object it's a narrative. He's breaking into a  
16 narrative.

17 THE COURT: Gary?

18 MR. AYERS: No, I think we were talking  
19 about the median voter theorem, and the impact that  
20 Dr. Warshaw had talked about in terms of he thought  
21 the larger the efficiency gap the more extreme the  
22 results, and Dr. Miller is trying to respond to  
23 that.

24 THE COURT: The objection, though, is that  
25 almost his entire testimony, all though no one has

1 objected to it until now, has been narrative. In  
2 other words, you kind of say and take a look at  
3 table three, and then he starts talking, and so  
4 what's your response to that?

5 MR. AYERS: My response is I asked him to  
6 take a look at table three and explain it.

7 THE COURT: Objection sustained. The  
8 problem with that, Gary, is that if he says anything  
9 objectionable, there's not really a question and  
10 answer going on here. He's just talking, and so ask  
11 him questions, and let him answer them, and,  
12 Dr. Miller, it's clear to me that you have a lot of  
13 ideas about this, but, in order for this process,  
14 and what I mean the court process to work, your  
15 attorney needs to ask you questions, and you need to  
16 respond from there to them.

17 I don't mean you can't have some narrative.  
18 You can, but, for example, look at table three and  
19 you talk for six minutes is problematic. Okay.  
20 Let's give it a shot, Gary.

21 Q. (By Mr. Ayers) I'm going to move on from this table  
22 and ask you, you indicated or Dr. Warshaw indicated  
23 that the efficiency gap has by empirically tested.  
24 Does this alleviate all of your concerns about the  
25 efficiency gap?

1 A. No.

2 Q. Why not?

3 A. So, when he says it's empirically validated or  
4 empirically tested, what I understand that to mean  
5 is that it's been tested against other metrics.  
6 Now, if we think those other metrics are correct,  
7 you can also just use the other metrics, but it  
8 hasn't been tested against any absolute or agreed  
9 upon definition of gerrymandering or partisan  
10 fairness.

11           Regardless of whether or not that would  
12 matter for an evaluation by, say, a political  
13 scientist in the study of district redistricting, it  
14 matters very significantly, if it were to be ever  
15 adopted or used as part of the judicial test for  
16 partisan gerrymandering.

17 Q. Jami, could you put up page 37 of Dr. Miller's  
18 report, and I just want to look at number nine, the  
19 conclusion.

20           So, Dr. Miller, in your report, you told us  
21 what you did and then you had a first, a second, and  
22 a third, and then you close on the next page with  
23 the next page at the top of the page that the  
24 implication of my findings is that the efficiency  
25 gap should not be used to determine the legality of

1 the Congressional Districting plans in Kansas.

2 Your conclusion in paragraph nine are those  
3 still your conclusion today?

4 A. Yes.

5 MR. AYERS: No more questions

6 THE COURT: Thank you, Gary. First of all,  
7 how you doing? You need a break or are you all  
8 right?

9 THE WITNESS: I think I need a little more  
10 water. Can I get another bottle, because I'll go  
11 through this one.

12 THE COURT: Absolutely. Tony, I don't know  
13 if he has others in his bag or you have some.

14 MR. RUPP: I've got one across the halls,  
15 so I'll run and get one real quick.

16 THE COURT: Thank you. With more water  
17 you're okay then?

18 THE WITNESS: Yeah, I think I'll be okay.

19 (Recess.)

20 THE COURT: Back on the record, then, in  
21 the same case that we have been litigating. The  
22 appearances of the parties are the same or  
23 substantially the same. We took a brief break, and  
24 we're now ready to start cross-examination.

25

CROSS-EXAMINATION

1

2 BY MR. FREEDMAN:

3 Q. Good afternoon. I'm John Freedman from Arnold &  
4 Porter. I represent the plaintiffs. I always feel  
5 think it's ridiculous when I'm say it's nice to meet  
6 you because it's not the best of circumstances, but  
7 I'm sorry we couldn't meet under different  
8 circumstances.

9 You're not an empirical researcher, right?

10 A. No. I am not an empirical researcher. Sorry. I  
11 want to give a clear answer.

12 Q. You don't teach a course that covers U.S. elections?

13 A. I have not.

14 Q. You've never taught any course on U.S. election  
15 data?

16 A. I never have.

17 Q. You've never taught any course in polarization in  
18 U.S. politics, right?

19 A. I never have.

20 Q. Turning to the scope of your analysis, you're not  
21 here to offer an opinion on Professors Patrick  
22 Miller, Jonathan Rodden, Jowei Chen, Loren  
23 Collingwood, or Michael Smith, correct?

24 A. That is not why I came.

25 Q. Is it fair to say that you have no knowledge why

1 Kansas's Congressional Districts in Ad Astra 2 were  
2 drawn the way they were drawn?

3 A. I think that would be a fair statement.

4 Q. Your report did not purport to address the question  
5 whether a particular redistricting plan should be  
6 viewed as acceptable; is that right?

7 A. That is correct.

8 Q. You have not conducted any independent analysis of  
9 the Ad Astra 2 plan, correct?

10 A. No, I have not.

11 Q. And you're not opining that Ad Astra 2 is a  
12 legitimate redistricting, correct?

13 A. I am not opining one way or the other about Ad Astra  
14 2.

15 Q. And you would agree that when the republican party  
16 is the political party given the task of drawing  
17 district lines it is not possible to constrain the  
18 republican party from working in its self-interest?

19 A. I would agree.

20 Q. I just want to touch briefly on your academic work  
21 in this area. Mitch, could we pull up 1061, page 4,  
22 Dr. Miller's report and, if you could in Section 2,  
23 if you could at the of the first paragraph highlight  
24 the little phrase at the end. I'm sorry, paragraph,  
25 first paragraph, paragraph above that, and just

1 highlight the one that explicitly study scoring  
2 methods.

3 This is discussing your research, correct?

4 A. That is correct.

5 Q. Now, that is a reference to your article Voting in  
6 Corporations, correct?

7 A. Correct. I'm very proud of that paper.

8 Q. Let's introduce as Plaintiffs' Exhibit 755 the  
9 article voting in corporations. Can we show that  
10 up?

11 MR. RUPP: Has this been admitted?

12 MR. FREEDMAN: No, we're just offering it  
13 through him.

14 MR. RUPP: Well, I think based on prior  
15 experience if it's not admitted -- oh, I'm sorry.  
16 This is not my witness. I need to be quiet.

17 Q. (By Mr. Freedman) Do you recognize this document?

18 A. I do.

19 Q. What is it?

20 A. It's a paper I wrote. It's called Voting in  
21 Corporations. The paper -- do you want me to  
22 describe it?

23 MR. FREEDMAN: No, just move for the  
24 admission of 755.

25 A. It's the first part of the paper.



1 MR. AYERS: No objection.

2 THE COURT: Everybody slow down a second.

3 So, 755 is admitted without objection.

4 MR. FREEDMAN: We can pull that down,

5 Mitch.

6 Q. (By Mr. Freedman) The article Voting in  
7 Corporations, the one you refer to in your report as  
8 the one that explicitly studies voting methods  
9 concerns shareholder voting, correct?

10 A. That's absolutely correct.

11 Q. Okay. Now, you offer opinions. We heard your  
12 opinions on the efficiency gap, right?

13 A. I presume so. I gave them.

14 Q. You're not familiar enough to offer an opinion about  
15 other partisan bias metrics, like, mean median,  
16 declination, or partisan symmetry, correct?

17 A. I would not consider myself well-versed enough in  
18 those metrics. I have not examined them carefully  
19 enough to offer an expert opinion.

20 Q. On any of them?

21 A. On any of those.

22 Q. Now, Mr. Ayers asked you about an article that you  
23 coauthored during your direct; do you recall that?  
24 About the efficiency gap?

25 A. Flaws in the efficiency gap?

1 Q. Yes.

2 A. Yes, he did.

3 Q. Do you have any other published writings concerning  
4 the efficiency gap?

5 A. No, that's it.

6 Q. Your article on efficiency gap was not peer  
7 reviewed, correct?

8 A. That's correct. It was published in a student  
9 edited law review.

10 Q. The journal and law and politics is a law journal  
11 run by students at the University of Virginia,  
12 correct?

13 A. That's my understanding.

14 Q. University of Virginia is a fine law school, but  
15 sitting here today, can you tell us whether any of  
16 the students who worked on your article have PhD's  
17 in political science, economics or any other related  
18 field?

19 A. It's a student edited law review. It's very rare  
20 that they do.

21 Q. All right. Let's take a look at your article.  
22 Mitch, can you pull up the Flaws in the Efficiency  
23 Gap article, and let's look at page 7, figure one.  
24 Can you blow that up and then also if we could do a  
25 split screen, I would also like to take a look at

1 page 10, table one, not a big deal. We can keep  
2 going. In your article, you and your coauthors  
3 presented hypothetical examples how to calculate a  
4 efficiency gap for a five-district state, right?

5 A. That's correct.

6 Q. And you presented this same figure in the report you  
7 filed in this case?

8 A. That's correct.

9 Q. In fact, the report you filed in this case presents  
10 a lot of the non-peer reviewed analysis you  
11 presented in the Flaws article, right?

12 A. There's a significant amount adapted from and  
13 inspired by that article and there's some new  
14 material, new analysis.

15 Q. Mitch, can we call up demonstrative D-9. So,  
16 Dr. Miller, this is a comparison of red line of a  
17 section from your article, which is on the left, and  
18 your report on the right.

19 This is from your article, I'm sorry, the  
20 portion from the report is Section 6, and we've  
21 highlighted the portion that's different.

22 A. Uh-huh.

23 Q. You see one of the changes you made, if you look at  
24 the start of the second paragraph, in your article  
25 we said we. You said we because you had coauthors

1 and this report you said I?

2 A. That's correct.

3 Q. Let's look at another example. The section in your  
4 report the efficiency gap is almost verbatim the  
5 same language as the section in your non-peer  
6 reviewed article Flaws called packing cracking and  
7 the efficiency gap.

8 A. Is that the section?

9 Q. I'm sorry. That's what we just looked at.

10 A. I assume that's correct.

11 Q. Okay. Let's look at Mitch, D-10. This section in  
12 your report 8.2 the problem with assuming certainty  
13 that we heard some testimony about, in your report  
14 is almost the same language as in your non-peer  
15 reviewed article Flaws, right?

16 A. That's correct.

17 Q. Can we look at another example Mitch demonstrative  
18 D-11. The section in the report called the benefit  
19 of a seat is almost copied entirely from your  
20 non-peer reviewed law review article, right?

21 A. That's correct. I use the parts that I thought I  
22 still agreed with.

23 Q. Now, in your report you don't present peer-reviewed  
24 research you, yourself, have conducted about the  
25 efficiency gap, correct?

1 A. That is correct.

2 Q. And we had -- early in your testimony we had about  
3 4:10, when you were asked about equation three, you  
4 don't cite any peer-reviewed article in your report  
5 that discusses formula three, correct?

6 A. No. That's something I realized -- happy to prove  
7 it for you on a sheet of paper if you like.

8 Q. No, but you've never gotten it published in a  
9 peer-reviewed article, right?

10 A. No. It's too trivial to publish in a peer-reviewed  
11 article in my field.

12 Q. Just so we're clear, when you presented your  
13 testimony about formula three, which wasn't actually  
14 in your report, other than your narrative  
15 description that you went through, that is not  
16 peer-reviewed research that has been published in  
17 any journal?

18 A. No, that's original to this report.

19 Q. You first started billing on this matter on March  
20 25th, 12 days ago?

21 A. That's correct.

22 Q. Mitch, can we pull up Plaintiffs' Exhibit 743. This  
23 is, Dr. Miller, the invoice that you've issued in  
24 this case so far.

25 A. That's correct.

1 Q. And you're charging \$750 an hour in this case?

2 A. That was the rate we agreed upon.

3 Q. And this first invoice reflecting your time through  
4 March 31st is six days work is for \$50,900, right?

5 A. I worked some very long days, yes.

6 Q. And, when you had submitted this bill, you had  
7 completed your report, correct?

8 A. Yes.

9 Q. But you had not reviewed Dr. Warshaw's entire  
10 testimony, correct?

11 A. You're referring to his deposition?

12 Q. Yes.

13 A. I was sent a copy of his deposition on Wednesday of  
14 that week. I looked at a couple small sections of  
15 it. I still have not read his entire deposition.

16 Q. At the time of your deposition, you had only  
17 reviewed the small portions of Dr. Warshaw's  
18 testimony that defense counsel told you would be the  
19 most relevant or the most interesting, correct?

20 A. That's correct, and I have not looked at it since.

21 Q. It's been six days since you issued this invoice, so  
22 sitting on the stand today how many total hours do  
23 you have on this case?

24 A. I haven't added them up. I would guess that by the  
25 time I'm done it will be probably another amount of

1 this quantity probably.

2 Q. Another \$51,000?

3 A. It's a very rough approximation.

4 Q. And that's for 12 days work?

5 A. Twelve very, very long -- well, the first two days  
6 weren't, but many of these are very long days.

7 Q. Let me ask you about reviewing Dr. Warshaw's report.  
8 Did you review Dr. Alford's report in this case?

9 A. No, I did not.

10 Q. Have you met Dr. Alford?

11 A. I met him here a day or two ago.

12 Q. Do you know what Dr. Alford says in his report about  
13 the efficiency gap?

14 A. I have not seen his report.

15 Q. Okay. So, turning to your analysis Dr. Warshaw, you  
16 tried to replicate Dr. Warshaw's results, correct?

17 A. Some of them.

18 Q. And you testified today about this at about 4:52,  
19 when you looked at states with four or more  
20 Congressional Districts, you were able to replicate  
21 Dr. Warshaw's numbers precisely, correct?

22 A. Yes. When I looked at four or more states, I was  
23 able to come up with how he got to the 95 percent,  
24 98 percent numbers. So that made me confident that  
25 I was on the right track how to calculate it.

1 Q. Mitch, can we just pull up Exhibit 1061, page 24,  
2 fourth full paragraph. If you could just highlight  
3 the first sentence, you wrote, I can replicate Dr.  
4 Warshaw's numbers 95 and 98 percent precisely if I  
5 look at Congressional elections in states with four  
6 or more districts?

7 A. Yes, I did. That's what I just said.

8 Q. Now, looking also at Dr. Warshaw's analysis  
9 regarding the relationship between the efficiency  
10 gap and the level of conservative roll call voting  
11 in Congress, you don't question his findings, do  
12 you?

13 A. No. I haven't evaluated those findings closely.

14 Q. Mitch, could we turn to page 37, and in the top  
15 part, if you could highlight the phrase, I have both  
16 Stephanopoulos and McGhee and the Warshaw report  
17 rely on historical data regarding the relationship  
18 between efficiency gap and the level of conservative  
19 roll call voting in Congress.

20 And, Dr. Miller, you go on stating, I have  
21 not evaluated the data and do not question the  
22 findings; do you see that?

23 A. That's correct.

24 Q. I have a couple questions about what you refer to as  
25 the axiomatic approach. In your report, is it fair



1 to say you test the efficiency gap in hypothetical  
2 situations that are unlikely to arise?

3 A. Yes.

4 Q. And you conducted what you refer to in your report  
5 as thought experiments, right?

6 A. I would say that.

7 Q. What lawyers sometimes think of as hypotheticals,  
8 right?

9 A. I would have to think carefully if the way we use  
10 the term thought experiment in economics, and I  
11 think the way it's used in the sciences is  
12 equivalent to the way we use hypotheticals in law,  
13 and as someone who teaches law, I'm not sure that  
14 that's 100 percent right.

15 Q. Okay. Someone who teaches law and economics?

16 A. I teach law and economics, law and law in economics.

17 Q. Let's turn back to your analysis. So, for example,  
18 one thought experiment you present in your report  
19 analyzes what happens to the efficiency gap  
20 calculation if one party has 100 percent of the vote  
21 share, correct?

22 A. Correct.

23 Q. And you present what happens when the efficiency gap  
24 is applied to states with only one Congressional  
25 District, right?

1 A. Honestly, I don't recall if I put that in there or  
2 not. I'll take your word for it.

3 Q. I think during Mr. Ayers discussion he showed you  
4 figure three from your report where you present a  
5 column with one Congressional District?

6 A. Oh, that, yeah. Yeah. Yeah. Yes. I assume I  
7 would have put it in had I thought it carefully.

8 Q. You would agree that applying the efficiency gap to  
9 states with only one Congressional District would be  
10 nonsensical, right?

11 A. Absolutely.

12 Q. So, Mitch, can we go to page 7 of the report, second  
13 full paragraph, and if you could just highlight and  
14 magnify the last sentence. I'm sorry, second full  
15 paragraph, so one below that. Thank you.

16 You write in your report a failure of the  
17 efficiency gap in this extreme case indicates that  
18 it cannot be trusted to function well in more  
19 realistic environments. You wrote that, right?

20 A. I did write that.

21 Q. Is that a principle of axiomatic?

22 A. We test a measure or a solution or a rule by how it  
23 functions in the cases where there's a clear  
24 unambiguous answer. This is one of those cases.  
25 When it fails in that case, then we think it fails.

1           There are other measures. There are other  
2 ways of measuring partisan gerrymandering that don't  
3 suffer from these problems.

4           I'm not endorsing the use of those. I  
5 haven't studied those, but, for example, it's not  
6 like this is a problem with all possible measures of  
7 partisan gerrymandering.

8 Q. Well, let's do a thought experiment with you here to  
9 see whether the proposition you put up here makes  
10 any sense that the failure of a theory in an extreme  
11 case indicates it cannot be trusted to function well  
12 in a realistic environment.

13           So, let me give you one to just think  
14 about. Assume in the 17th Century there was a  
15 natural philosopher named Isaac Newton, and he came  
16 up with something that he referred to as the theory  
17 of gravity, gravitation, which provides among other  
18 things that, if something is dropped, it falls to  
19 the ground.

20 A. Correct.

21 Q. Further assume that four centuries later human  
22 beings are able to escape the earth's orbit and  
23 individuals find that when they're in zero gravity  
24 the objects that drop no longer fall to the ground.

25           Do you still stand by -- my question is do

1       you still stand by that the failure of a theory in  
2       an extreme case indicates that it cannot be trusted  
3       to function well in more realistic environments?

4   A.   There's a distinction between testable positive and  
5       normative theories.  A measure such as the  
6       efficiency gap is inherently normative.  It cannot  
7       be tested, tested in a scientific sense.  It cannot  
8       be tested by an actual experiment.

9                A scientific predictive theory such as  
10       Newton's theory of gravity or for that matter  
11       Einstein's theory of special relatively can be  
12       tested in those cases.  So, I think that's a quite  
13       important distinction.

14  Q.   I see.

15  A.   And that's a distinction we use within economics,  
16       and I think in general through the sciences.

17  Q.   Sciences.

18  A.   Economists consider themselves scientists -- whether  
19       I don't have a particularly strong opinion as to  
20       whether we do a good job of it, but the methodology  
21       of economics is drawn from the natural sciences.

22  Q.   I see.  Let's turn to one of the other thought  
23       experiments you do in your report.  You conducted  
24       some efficiency gap analysis looking at states with  
25       only and exactly four Congressional seats.  You

1 testified about that at 4:53 this afternoon. Do you  
2 recall that?

3 A. I don't recall where I was at 4:53. I believe I  
4 testified about that at some point, but I'm not sure  
5 if I only testified about it once, because my memory  
6 is not good of everything that happened today.

7 Q. Well, as to your question I think your record will  
8 reflect that you're sitting right there at 4:53.  
9 That's where you were at 4:53.

10 A. I believe that.

11 Q. You understand that the list of states with four  
12 Congressional seats is not a static list. In some  
13 decades you were looking at Congressional elections  
14 from five jurisdictions and others your were looking  
15 at as few as two, right?

16 A. Kansas used to have five districts. Utah, which is  
17 sort of my home state, had three.

18 Q. And in some decades you were looking at your sample  
19 didn't include Kansas at all, because Kansas didn't  
20 have four districts?

21 A. That's correct.

22 Q. Are you familiar with the law of small numbers?

23 A. The law of large numbers.

24 Q. The law of small numbers, Danny Kahneman and Amos  
25 Tversky famous theory 1971 about misinterpreting

1 data when you're looking at only a small sample?

2 A. I believe that's definitely possible.

3 Q. You've heard of Dr. Kahneman?

4 A. Yes. I've heard -- wait, yeah, Danny Kahneman and  
5 Amos Tversky, yes.

6 Q. The Nobel Prize winner?

7 A. He has won a Nobel Prize along with Vernon Smith.

8 Q. Let's think of another thought experiment. Let's  
9 consider a different hypothesis than the one you  
10 actually tested.

11 Let's say that you wanted to test whether  
12 the efficiency gap worked with states like Kansas  
13 with six letters in their name and unlike your  
14 thought experiment, my thought experiment is static.  
15 It's not volatile. States don't move in and out and  
16 Kansas remains in it every time. Do you know what  
17 the results you would get?

18 A. I certainly wouldn't conduct that study, but I think  
19 that's also an unfair or at least a misleading  
20 analogy.

21 Q. First rule of thought experiments is don't fight the  
22 premise of the thought experiment. Mitch, can we  
23 pull up demonstrative D-12. D-12 compares what the  
24 results look like for your thought experiment where  
25 you compare states with states with four seats and

1 my thought experiment where we look at states with  
2 six letters in their name. It looks pretty similar  
3 doesn't it, Doctor?

4 A. It's hard for me to tell, because of the shading.  
5 There's pretty similar range. The three, six states  
6 is a little bit more compact but not by much. I  
7 don't know how many states are with six letters in  
8 their names. I haven't counted

9 Q. You're not a cross word puzzle person?

10 A. No. I used to do it, but I've gotten too busy with  
11 other stuff.

12 Q. Do you know what happens if you expand the sample  
13 size and you look at states with four to seven  
14 letters, say?

15 A. I presume you're going to get more data points.

16 Q. Mitch, can you pull up D-13. Professor, Dr., I've  
17 shown you as demonstrative 13, the graph on the left  
18 is one that we used as a demonstrative with Dr.  
19 Warshaw.

20 I'm sorry. The one on the right is the one  
21 that we showed with Dr. Warshaw yesterday what  
22 happens if you look at states with four to seven  
23 seats.

24 The one on the left is my thought  
25 experiment where we're looking at states with four

1 to seven letters in their name.

2 A. Okay.

3 Q. Do you think the distributions look pretty similar?

4 A. They're not identical. They're not massively  
5 different.

6 Q. Okay. I want to look at another one of your thought  
7 experiments. Mitch, can we go back to 1061, page  
8 22. And, if you could highlight at the bottom of  
9 the page the phrases -- let's blow that up for now.

10 Dr. Miller, this is your discussion of how  
11 you calculated the vote shares that are are  
12 presented in your appendixes, correct?

13 A. Correct.

14 Q. And you went over with Mr. Ayers at about 4:20 table  
15 five. That's your calculation?

16 A. That's correct.

17 Q. Okay. Now, for this thought experiment you did, you  
18 say that you used the version of formula one of the  
19 Warshaw report, and then you say I did not impute  
20 vote shares in uncontested races; do you see that?

21 A. That's correct.

22 Q. I thought at 4:10 this afternoon when we were having  
23 our squabble about formula three, you said that you  
24 used formula three in your report?

25 A. Later on. I think it's on the next page maybe.



1 Q. Do the vote share calculations in table five reflect  
2 formula one or formula three?

3 A. That's formula one. That's what it says right  
4 there. I did formula three afterwards, just to see  
5 and put up the numbers to show how they would all  
6 compare.

7 Q. Okay. Mitch, can we pull up table five. It's page  
8 41 of the report. Can you highlight the efficiency  
9 gap at the very bottom, just blow that up, the  
10 calculation at the very bottom.

11 Dr. Miller, the analysis you present in  
12 your table five, that's formula one, right?

13 A. That's exactly formula one.

14 Q. Is formula three anywhere on this page?

15 A. No. I just calculated that and put it in the text  
16 or the footnote on page 23.

17 Q. Okay. I want to test the premise of your no  
18 imputation though experiment, the idea that you  
19 could go and calculate a vote share without doing a  
20 calculation.

21 A. I don't think that was the idea.

22 Q. Can you name any Congressional election with more  
23 than one candidate on the ballot where 100 percent  
24 of the people supported one of the candidates?

25 A. I'm sorry. What do you mean by 100 percent of the

1 people supported one of the candidates?

2 Q. If you have a contested election, if you've got two  
3 people running, in American history, has there ever  
4 been an election where 100 percent of the votes went  
5 to one candidate?

6 A. No. I think we all agree this leads to an absurd  
7 result.

8 Q. You don't consider empirical or real world data  
9 relevant to your thought experiments, right?

10 A. No, I'm a theorist.

11 Q. You view the work you presented here as an academic  
12 exercise, right?

13 A. Can you define what you mean by academic?

14 Q. Well, what I mean is what you testified about when  
15 you were deposed three days ago. Would it help to  
16 see that testimony?

17 A. I think my memory may be off, but I thought I asked  
18 that question there as well, but --

19 Q. I'm happy to show you, your testimony, and see if  
20 it's refreshing your recollection.

21 THE COURT: Gentlemen, let each one of you  
22 finish, because he still wants to say things and  
23 you're cutting him off. Hold on. If he's not being  
24 responsive, bring it to my attention, but let him  
25 finish his answers.

1 THE WITNESS: Go ahead, Doctor.

2 THE WITNESS: The short answer to that is  
3 yes, it's an academic exercise, because I like all  
4 six of your witnesses are experts all though I  
5 didn't see the last two are academics.

6 So, but when you use the word academic  
7 exercise, I don't know if you're belittling me and  
8 your six witnesses by using academic as the sense of  
9 trivial or unimportant, because a word like that has  
10 two meanings. It's important to be clear.

11 It was also used in the deposition, and the  
12 deposition I notice the same thing. I didn't react  
13 quite as strongly, but, you know, I find it quite  
14 offensive if counsel is belittling experts, because  
15 we're academics.

16 Q. Can we pull up the deposition page 151 and can we  
17 highlight the top lines three to five, and,  
18 Dr. Miller, you were asked, okay, your work is an  
19 academic exercise, and you responded these are all  
20 academic exercises; do you see that?

21 A. I agree.

22 Q. Theoretical economics doesn't do a great job of  
23 accounting for the costs and benefits experienced by  
24 real voters, does it?

25 A. By real voters? I'm sorry. Can you explain what

1       you mean?

2                   MR. FREEDMAN: I think your answer says it  
3 all. No further questions.

4                   THE COURT: Follow-up, Gary.

5                   MR. AYERS: No questions.

6                   THE COURT: No subpoena, I assume?

7                   MR. AYERS: Free to go. Free to stay.

8                   THE COURT: Free to go as he choses.

9 Plaintiff is not going to recall him or call him.  
10 Dr. Miller, thank you for your testimony.

11                   THE WITNESS: Thank you. It's easier than  
12 I expect. I'm going to clean, pick this up, so I  
13 won't leave this mess. Somebody else left this.

14                   THE COURT: If you leave them, I'll make  
15 sure to take care of them. Thank you very much.  
16 Appreciate that and appreciate your testimony and  
17 you're free to go. I think we're about to wrap up  
18 for the day, but you are free to stay as well?

19                   THE WITNESS: Okay. Great.

20                   (Witness excused.)

21                   THE COURT: So, Tony, I'm about to ask  
22 Sharon what she wants to do, and she's going to say  
23 let's try this thing until midnight and get done.  
24 So what's the defense's point?

25                   MR. RUPP: Here's my thought, Your Honor,

1 and it is this. I think you're tired, and I think  
2 that counsel is tired, and my guess is everybody is  
3 hungry. That having been said, I think there's a  
4 mutual interest in getting this case done, submitted  
5 to you, and get the proposed findings and  
6 conclusions to you.

7 So what I would suggest is just would be  
8 that we've got Thursday, Friday, Saturday, and  
9 Sunday with nothing going on in the Court, we would  
10 volunteer to do an evidentiary deposition of  
11 Professor Alford or Dr. Alford during those four  
12 days and present that transcript and that video to  
13 you by Monday.

14 And, if they -- I know they've indicated  
15 they have a rebuttal witness, if they wanted to do  
16 that the same way, we could all get that done, you  
17 know, over the next four days, get the case  
18 submitted to you, probably appear in some fashion on  
19 Monday to complete the record, submit those things  
20 to you, advise you of any objections that you need  
21 to rule on that occur in the course of the  
22 deposition, and that would be my preference.

23 That would also expedite, I think, getting  
24 the proposed findings and conclusions to you so that  
25 you could write your opinion, and I think that I'd

1 be willing to stipulate that, you know, we can start  
2 that clock running as soon as those two depositions  
3 are completed. That would be my suggestion.

4 I really am hesitant with it being 6:00  
5 o'clock on a day like this that where we've been  
6 going since 9:00 to really try to rush in a witness  
7 at the last minute.

8 THE COURT: So, I think you're proposal is  
9 perhaps acceptable. We'll see, but under any set of  
10 circumstances that gets us back here Monday anyway,  
11 and to say that the Court has expected a lot of  
12 counsel would be the biggest understatement that I  
13 have have made.

14 So, I don't expect you to have to do that,  
15 Tony. I just kind of wanted to get a little feel,  
16 if you and the plaintiffs agree upon that procedure,  
17 that's fine.

18 I see that you and the plaintiffs will not  
19 be agreeing upon with that procedure, so we'll plan  
20 on presenting your last expert then on Monday.

21 You haven't made a decision about rebuttal  
22 yet or have you?

23 MS. BRETT: I think we have, and we would  
24 like to call one very short rebuttal witness and  
25 expert that has testified previously in this case,

1 and we will communicate that with Tony with what we  
2 intend to present in rebuttal.

3 THE COURT: All right. Will that person be  
4 testifying back to testify in Court?

5 MS. THEODORE: Yes.

6 THE COURT: Okay. Thank you for that. Do  
7 we need to put anything else on the record at this  
8 point in time? You've rejected Tony's offer.  
9 That's on the record. Gary?

10 MR. AYERS: Again, for the appellate  
11 record, I think it's 1066-E, our demonstrative, so  
12 they know what we were talking about. It's was the  
13 three formulas of Dr. Miller.

14 THE COURT: I'm not sure, are you asking  
15 for admission, Gary.

16 MR. AYERS: Yes, move to admit.

17 MR. FREEDMAN: Your Honor, it's just being  
18 admitted for demonstrative purposes.

19 THE COURT: I take that from what the  
20 statement for demonstrative purposes.

21 MR. AYERS: Mostly for the Appellate Court,  
22 because they get really mad if they don't know what  
23 you're talking about.

24 MR. FREEDMAN: No objection.

25 THE COURT: 1066-E is admitted without

1 objection.

2 Now, let's go in some coherent order. I  
3 know that's not what we've typically done, but we'll  
4 try to day and see if it works. So, issues that  
5 the plaintiff wishes to discuss on the record? And  
6 it can be any of you talking here.

7 MR. FREEDMAN: The only one I would have is  
8 we would also move that plaintiffs demonstratives  
9 for the cross be admitted. I think that they were  
10 -- I think that they were seven, eight -- no, I'm  
11 sorry eight, nine, 10, 11, 12.

12 MS. BRETT: We'll have to mark them as  
13 exhibits, Your Honor. We can do so and send them  
14 through e-Flex.

15 THE COURT: Not an issue for the court  
16 right now about marking them. I just want to make  
17 sure the record is clear. You want demonstrative  
18 Exhibits 8 through 12 respectively admitted?

19 MR. FREEDMAN: For demonstrative purposes.

20 MR. AYERS: No objection.

21 THE COURT: So 8 through 12 inclusively.

22 MS. BRETT: Maybe nine through 13, Your  
23 Honor. I think we're a little lost on the number.

24 MR. AYERS: No, well then.

25 MS. BRETT: We will clean that up.



1           THE COURT: I assume, Gary, that as long as  
2 they are those demonstrative exhibits whether it's 9  
3 through 13 or 8 through 12, you still have no  
4 objection?

5           MR. AYERS: That's right, Your Honor.

6           THE COURT: And probably a good idea,  
7 however, Sharon, at some point to make sure we have  
8 cleaned that up. All right. Anything else on  
9 Alonzo Rivera plaintiffs?

10          MS. BRETT: I don't believe so, Your Honor.

11          THE COURT: All right. Mark or Curtis.

12          MR. JOHNSON: I had represented to the  
13 Court, and I believe my commitments are important  
14 that I would not bring my other witness in.

15                I called him to tell him he doesn't have to  
16 come on Monday. I would like to file a declaration  
17 that may well go beyond what the other witnesses  
18 have filed, because I agreed to this arrangement  
19 with the understanding that we would finish today,  
20 and that we would not be coming back Monday.

21                So, I'm going to file a declaration for Mr.  
22 Lea that may well go beyond the facts that were  
23 stated in declarations from either of two witnesses.

24          THE COURT: This sounds like tag no take  
25 backs. I don't feel that you're bound by that,

1 Mark. I think that you made that in a good faith  
2 gesture.

3 MR. JOHNSON: I'm bound by it, because my  
4 witness has other plans, because I told him he would  
5 not have to be here Monday.

6 THE COURT: All right. If that changes and  
7 you wish to call him on Monday subject to any  
8 defense objections, you may do so, submit the  
9 declaration, and then Gary, Tony, whomever let me  
10 know what you think about that, if that's an issue,  
11 and we'll go from there.

12 MR. JOHNSON: Thank you, Judge.

13 THE COURT: Anything else, Mark? You're so  
14 welcome.

15 MR. JOHNSON. No, Your Honor.

16 THE COURT: And, Gary, it doesn't have to  
17 be you. It can be you. It can be Tony. Do you  
18 have other things to put on the record?

19 MR. RUPP: I'm not aware of anything else  
20 to go on the record right now.

21 THE COURT: Gary. Do you concur with the  
22 man you make fun of?

23 MR. AYERS: I do.

24 THE COURT: Thank you so much, Judge.

25 MS. BRETT: Your Honor, can I ask one more

1 thing on the record?

2 THE COURT: No, Sharon. You messed things  
3 up. Yes, ma'am. You certainly may.

4 MS. BRETT: I believe that we had talked.  
5 Mr. Rupp and I talked about not doing closings and  
6 just submitting the findings of fact and conclusions  
7 of law at the close of evidence, but just wanted to  
8 state on the record that that seems to be the  
9 agreement amongst parties unless that has changed in  
10 the last bit.

11 MR. RUPP: I'm fine with that, Your Honor.  
12 I think that was the original discussion a few weeks  
13 ago when we started this case. Obviously, you've  
14 given us the opportunity to change our minds on that  
15 and all of that, but I'm fine with that approach.

16 THE COURT: All right.

17 MR. JOHNSON: Your Honor, even though I was  
18 not consulted on that, I agree.

19 THE COURT: Thank you, Mark. Again, nobody  
20 is bound by that. If you change your minds on  
21 Monday and you wish to make closing comments, as  
22 long as we can get it done on Monday, that's not  
23 problematic, but, if everyone is in agreement about  
24 just submitting findings and conclusions, that's, of  
25 course, okay as well.

1           I just want to bring this to your  
2 attention. I don't know that this really matters,  
3 but I note that Dr. Miller is pacing outside of my  
4 door, and I don't know if he feels like he needs to  
5 talk to somebody or what's going on here. Is that  
6 all that it is? He just needs his bag? We're  
7 trying to get you squared away, Dr. Miller, and  
8 you're welcome to stay. Did you need something  
9 besides your backpack?

10           DR. MILLER: No, just my bag. I'll stay  
11 for a minute.

12           THE COURT: You're welcome to. Please have  
13 a seat. Okay. So, if I had it straight, then we  
14 will be calling one defense expert on Monday.

15           MR. RUPP: Probably. You know, now that we  
16 have four days, we will rethink our thoughts. We  
17 have time to do that.

18           THE COURT: Then what I propose, counsel,  
19 is I keep my mouth shut. I'll so you Monday, and  
20 we'll figure out what do when we get here.

21           MR. RUPP: One other housekeeping thought  
22 is the question of whether anybody is going to, I  
23 mean, what about technology and the courtrooms?

24           THE COURT: You mean will we have it on  
25 Monday?

1                   MR. RUPP:  This doesn't need to be on the  
2                   record.

3                   (Court was adjourned.)

4

5

6

7

8

9

10

11

12

13

14

15

16

17

18

19

20

21

22

23

24

25

## 1 C E R T I F I C A T E

2 STATE OF KANSAS )  
3 COUNTY OF WYANDOTTE ) SS:

4 I, ROSEMARIE A. VIETH, a Certified Court  
5 Reporter, and regularly appointed, qualified, and  
6 acting official reporter of Division 6 of the 29th  
7 Judicial District of the State of Kansas, do hereby  
8 certify that as such official reporter I was present  
9 at and reported in stenotype shorthand the above and  
10 foregoing proceedings FAITH RIVERA, ET AL., VS.  
11 SCOTT SCHWAB, ET AL., CASE NO. 2022-CV-89, heard on  
12 April 6, 2022, before the HONORABLE BILL KLAPPER,  
13 Judge of said court.

14 I further certify that a transcript of my  
15 shorthand notes was prepared; and that the foregoing  
16 transcript, consisting of 182 pages, is a true  
17 record of all the proceedings.

18 SIGNED AND ELECTRONICALLY FILED WITH THE CLERK  
19 OF THE DISTRICT COURT OF WYANDOTTE COUNTY, KANSAS,  
20 this 8th day of April, 2022.

21 /s/Rosemarie A. Vieth  
22 Rosemarie A. Vieth, CCR  
23 KS CCR NO. 1094  
24 Official Court Reporter  
25 29th Judicial District, Division 6  
Wyandotte County Courthouse  
710 North 7th Street  
Kansas City, Kansas 66101  
Phone: (913) 573-8218  
Email: rvieth@wycokck.org

Rosemarie A. Vieth, Official Court Reporter